

## Testimony of Dr. Alejandro Santos

### DIRECT EXAMINATION

12

13 BY MR. TOBY L. SHOOK:

14 Q. Would you state your name, please.

15 A. Alex Santos, S-A-N-T-O-S.

16 Q. And how are you employed, sir?

17 A. I'm self-employed as a physician.

18 Q. And where do you work?

19 A. In Dallas, at Baylor University of  
20 Medical Center.

21 Q. Okay. Could you tell the jury your  
22 educational and professional training that you have for  
23 the position that you hold, please.

24 A. I attended the University of Texas at  
25 San Antonio and graduated there with a Bachelor of  
Sandra M. Halsey, CSR, Official Court Reporter

708

1 Science degree. Then attended the University of Texas  
2 Medical Branch in Galveston for medical school. And then  
3 did my surgical training at Methodist Hospital in Dallas.

4 Q How long have you been at Baylor

5 Hospital?

6 A. I was in private practice at Baylor  
7 University of Medical Center in Dallas for approximately  
8 five years.

9 Q. And what did you do there? What were  
10 your duties there at Baylor?

11 A. I specialized in trauma surgery,  
12 critical care management and general surgery.

13 Q. Okay. Tell the jurors what trauma  
14 surgery is.

15 A. Trauma surgery has to do with dealing  
16 with patients who have suffered traumatic injuries, such  
17 as gunshot wounds, stab wounds, car wrecks, falls, that  
18 sort of trauma.

19 Q. Okay. Do you deal with people that  
20 are brought into the emergency room and need immediate  
21 treatment, and that sort of thing?

22 A. Yes, sir, that's where I get all of  
23 the trauma patients.

24 Q. And let me turn your attention back to  
25 June 6th, 1996, and ask if you were on duty in those  
Sandra M. Halsey, CSR, Official Court Reporter

709

1 early morning hours.

2 A. Yes, sir, I was on trauma call for

3 Baylor at that time.  
4 Q. Tell the jurors what trauma call is.  
5 A. Trauma call just means that there is a  
6 specified trauma surgeon that will take care of the  
7 trauma patients that night. It's usually on call for a  
8 24 hour period, take it about every third or fourth day.  
9 Q. Okay. And tell the jurors where  
10 Baylor Hospital is located.  
11 A. It's just east of downtown Dallas.  
12 Q. Is it a small or large hospital?  
13 A. Large hospital.  
14 Q. About how large is it?  
15 A. 750 beds. It's a community hospital,  
16 but it's a pretty large size.  
17 Q. Been there a pretty long time?  
18 A. Yes, sir.  
19 Q. And as part of your duties, do you  
20 supervise other doctors there that help out in the  
21 emergency room?  
22 A. Yes. Part of my duties are to help  
23 with the surgery resident training.  
24 Q. Okay. And did you have several  
25 surgery residents in training on that date?  
Sandra M. Halsey, CSR, Official Court Reporter  
710

1 A. Yes. Every day there's a team of  
2 surgery residents on call with the trauma surgeon.  
3 Q. Okay. Is one of those surgeons also a  
4 Dr. Dillawn?  
5 A. Yes, sir.  
6 Q. Okay. Were you actually there at the  
7 hospital that entire morning, or what time did you get  
8 there?  
9 A. I had been there on and off during the  
10 day. And I happened to be in the emergency room at this  
11 time getting ready to leave.  
12 Q. Okay. So you're getting ready to go  
13 home when a call comes in?  
14 A. Yes, sir.  
15 Q. Okay. Do you recall about what time  
16 it was?  
17 A. Somewhere around midnight. I remember  
18 it was close to the early morning hours.  
19 Q. Sometime in the early morning hours?  
20 A. Yes.  
21 Q. Now y'all keep pretty good records  
22 there at Baylor; is that right?  
23 A. Yes. The nurses keep excellent  
24 records.

25 Q. Okay.  
Sandra M. Halsey, CSR, Official Court Reporter  
711

1 (Whereupon, the following  
2 mentioned item was  
3 marked for  
4 identification only  
5 as State's Exhibit 53-C,  
6 after which time the  
7 proceedings were  
8 resumed on the record  
9 in open court, as  
10 follows:)

11  
12 MR. TOBY L. SHOOK: Judge, at this  
13 time we'll offer what's been marked as State's Exhibit  
14 53-C, which has been on file with the Court.  
15 MR. RICHARD C. MOSTY: No objection,  
16 your Honor.  
17 THE COURT: State's Exhibit 53-C is  
18 admitted.  
19 MR. TOBY L. SHOOK: May I approach the  
20 witness?  
21 THE COURT: You may.

22  
23 (Whereupon, the documents  
24 heretofore mentioned were  
25 marked and received in  
Sandra M. Halsey, CSR, Official Court Reporter  
712

1 evidence as State's  
2 Exhibit No. 53-C, after  
3 which time, the  
4 proceedings were resumed  
5 as follows:)

6  
7 BY MR. TOBY L. SHOOK:  
8 Q. Doctor, let me show you what's been  
9 marked and entered in evidence as State's Exhibit 53-C  
10 and ask you to take a look at those. Do you recognize  
11 those as copies of Baylor medical records?  
12 A. Yes, they are.  
13 Q. Okay. And are they Baylor medical  
14 records pertaining to Darlie Routier?  
15 A. Yes, they are.  
16 Q. Okay. Now. I'll just ask you to keep  
17 those notes close to you in case you need to refer to  
18 them at any time during your testimony. In fact, would

19 the time she arrives there at the emergency room, would  
20 that be reflected in the notes?

21 A. Yes, it should be in the -- what's  
22 called the trauma sheet.

23 Q. If you could just take a moment there  
24 and find that for us, please.

25 A. Okay. Here in the trauma records, the  
Sandra M. Halsey, CSR, Official Court Reporter  
713

1 first time noted when she was -- had her vital signs  
2 taken, which is blood pressure, and those kind of things,  
3 that are done pretty much as soon as she gets in. The  
4 time is 03:25.

5 Q. So is that going to be 3:25 in the  
6 morning?

7 A. Correct.

8 Q. That's when she hits the emergency  
9 room; is that right?

10 A. Correct.

11 Q. Now, had you been notified a little  
12 bit earlier that she would be on her way?

13 A. Yes.

14 Q. Okay. And was she going to be just  
15 transported there herself, or was there going to be  
16 someone else also?

17 A. I had been notified that there were  
18 two stab victims coming in. One was a child and one was  
19 an adult.

20 Q. As far as what happened, you're not  
21 given that type of information?

22 A. No.

23 Q. Okay. What do you do to get ready to  
24 receive these two stabbing victims?

25 A. Most of the time we prepare -- we have  
Sandra M. Halsey, CSR, Official Court Reporter  
714

1 several trauma rooms to take care of the trauma patients  
2 in. We usually call the trauma surgery residents to come  
3 down and help. I just happened to be in the emergency  
4 room at that time and the residents happened to be in the  
5 emergency room at the same time caring for other  
6 patients, so we prepared for these two patients by  
7 getting two trauma rooms ready.

8 I sent my chief surgery resident to  
9 one room, with another lower level resident to prepare  
10 for the adult patient, and I took one of the other  
11 surgery residents with me to prepare to receive the  
12 child.

13 Q. Okay. And which patient arrived  
14 first, the woman or the child?  
15 A. I'm not sure. I know when the child  
16 arrived he was brought directly to my room. And sometime  
17 around that time the woman was taken to the other room.  
18 Q. Okay. So they arrived pretty close  
19 together?  
20 A. Yes.  
21 Q. But you're not sure which arrived  
22 first?  
23 A. Correct.  
24 Q. Okay. The first patient you saw,  
25 would that be the child?  
Sandra M. Halsey, CSR, Official Court Reporter  
715

1 A. Yes.  
2 Q. Could you describe the child?  
3 A. He was a white male, about 5 or 6  
4 years old. Had no signs of life on arrival. Brought in  
5 by the paramedics. We examined him, found multiple stab  
6 wounds to the back. I examined him closer and found no  
7 evidence of life and I pronounced him dead at the scene.  
8 Q. And did your examination take place  
9 there in one of the trauma rooms?  
10 A. Yes.  
11  
12 (Whereupon, the following  
13 mentioned items were  
14 marked for  
15 identification only  
16 as State's Exhibit 52-J & K,  
17 after which time the  
18 proceedings were  
19 resumed on the record  
20 in open court, as  
21 follows:)  
22  
23 BY MR. TOBY L. SHOOK:  
24 Q. Okay. Let me show you two photographs  
25 and ask if you can recognize these to be photos of the  
Sandra M. Halsey, CSR, Official Court Reporter  
716

1 boy that you saw in the trauma room.  
2 A. Yes, they are.  
3 Q. And you're looking at photograph,  
4 State's Exhibit 52-J and 52-K?  
5 A. Correct.  
6

7 MR. TOBY L. SHOOK: Your Honor, at  
8 this time we would offer State's Exhibit 52-J and K.

9 MR. RICHARD C. MOSTY: No objection.

10 THE COURT: State's Exhibit 52-J and K  
11 are admitted.

12

13 (Whereupon, the documents

14 heretofore mentioned were

15 marked and received in

16 evidence as State's

17 Exhibit No. 52-J & 52-K,

18 after which time, the

19 proceedings were resumed

20 as follows:)

21

22 BY MR. TOBY L. SHOOK:

23 Q. Let me hold up State's Exhibit 52-J

24 first. Is this a photograph of how the child appeared as

25 he lay there?

Sandra M. Halsey, CSR, Official Court Reporter

717

1 A. Yes, except he did not have the paper

2 bags on his hands when he arrived.

3 Q. Were those placed there later by

4 Rowlett Police Officers?

5 A. Or by the emergency room nurses.

6 Q. Or by the emergency room nurses.

7 Okay. But the devices here attached to him, he came in

8 that way?

9 A. Yes.

10 Q. Okay. State's Exhibit 52-K, does this

11 show the wounds as you saw them to his back?

12 A. Yes.

13 Q. Okay. And did you probe the wounds?

14 A. Yes, I did.

15 Q. Okay. Could you tell the jurors what

16 probing the wounds is.

17 A. Just examining them. If you probe a

18 wound with an instrument, or with your gloved finger, and

19 I did it with my gloved finger.

20 Q. And did you probe all of the wounds?

21 A. Yes. The top three over here appear

22 to be to go down to the level of the ribs and the muscle

23 and stop there. But these larger wounds went into the --

24 this one went into the thoracic cavity, which is the

25 cavity where the lung is located. And this bottom one

Sandra M. Halsey, CSR, Official Court Reporter

718

1 went into the abdominal cavity, which is where the  
2 stomach, spleen, liver, and all of those internal organs  
3 were.

4 Q. Were these deep penetrating wounds?

5 A. Yes, very deep.

6 Q. Okay. After you had pronounced the  
7 child dead when he got there, there wasn't anything you  
8 could do for him; is that correct, Doctor?

9 A. Correct.

10 Q. After you pronounced him dead, did you  
11 go and see about the other stabbing victim?

12 A. Well, actually, before I left the room  
13 the other resident that was in with the adult patient  
14 came in and said, "She needs to go to the operating  
15 room." So, after I pronounced the child dead, I left the  
16 room and went to the other room to see the adult patient.

17 Q. And what was going on when you went  
18 into that room?

19 A. There was a lot of people in the room,  
20 there was a lot of commotion going on, but I got a chance  
21 to see her. She had a laceration to the neck, with a lot  
22 of blood on her chest and her body. And I agreed with  
23 the surgery resident, that in view of those injuries we  
24 needed to take her to the operating room to explore the  
25 wounds.

Sandra M. Halsey, CSR, Official Court Reporter  
719

1 Q. Okay. Now, did you later come to know  
2 this patient that you saw in there as Darlie Routier?

3 A. Yes.

4 Q. Okay. Do you see her in the courtroom  
5 today?

6 A. Yes.

7 Q. Could you point her out, please.

8 A. Yes, she's over there at the defense  
9 table.

10 Q. Okay. The woman here sitting with the  
11 coat draped around her?

12 A. Yes.

13

14 MR. TOBY L. SHOOK: Your Honor, could  
15 the record reflect that the witness has identified the  
16 defendant here in open court.

17 THE COURT: Yes, sir.

18

19 BY MR. TOBY L. SHOOK:

20 Q. Now, you go in there, you see a --  
21 describe the wound you saw to her neck.

22 A. When I walked in the room, she had a

23 slash wound, or a laceration to the neck, kind of  
24 tangentially going from the right side to the left, or  
25 left side to the right, across here, across this area,  
Sandra M. Halsey, CSR, Official Court Reporter

720

1 across her neck. And as I said, she had a lot of blood  
2 on her. Because the residents had already examined her,  
3 and based on my quick evaluation at the time, I felt it  
4 would best be managed up in the operating room.

5 Q. Okay. Tell the jurors why it's best to  
6 go immediately to the operating room with that type of  
7 wound?

8 A. You don't want to take any chances  
9 with any type of neck wounds. There are a lot of vital  
10 structures in the neck. The vessels that feed blood to  
11 your brain and vessels that bring the blood back to your  
12 heart. As well as your trachea, the voice box. All  
13 those kind of injuries can be very devastating if they're  
14 not taken care of right away. So it's usually better to  
15 go examine those in the operating room and get better  
16 control in case you get into trouble.

17 Q. All right. You do a rather quick  
18 assessment down there in the emergency room; is that  
19 correct?

20 A. Yes.

21 Q. Okay. And do you have certain terms,  
22 or what you call zones for areas of the neck?

23 A. Yes. The neck area, as far as  
24 injuries are concerned, is divided into 3 zones. Zone 1  
25 is just kind of the lower area where your collar bone and  
Sandra M. Halsey, CSR, Official Court Reporter

721

1 clavicle are down. Zone 2 is from above the clavicle, up  
2 to about where the Adam's apple is in the man, about this  
3 area. And then zone 3 is from about where the angle of  
4 the mandible is here on up. And that's how we describe  
5 the injuries to the neck, zone 1, zone 2, zone 3.

6 Q. This particular injury, was it in the  
7 zone 2 area?

8 A. Yes, it was.

9 Q. Okay. Any time you get any type of  
10 injury, any cut to the zone 2 area, do you take the  
11 patient to the operating room?

12 A. Yes.

13 Q. And you do what is called exploratory  
14 surgery?

15 A. Correct.

16 Q. What about if it was down in the zone  
17 1, in the clavicle area?

18 A. Then you have to think about doing  
19 some studies. If the patient is stable enough and have  
20 injuries done to zone 1, then you worry about the large  
21 blood vessels coming out of the heart. That's a  
22 different approach, a different type of surgery. And if  
23 the patient is stable enough, you wait and do some X-ray  
24 studies and figure out what you need to do.

25 Q. See any significant cut here at all, a  
Sandra M. Halsey, CSR, Official Court Reporter  
722

1 cut to the neck in zone 2, you take them to the operating  
2 room; is that correct?

3 A. That's correct.

4 Q. And is that what you did with Ms.  
5 Routier?

6 A. Yes, we did.

7 Q. All right. Were you in there and  
8 helping in the performance of the surgery?

9 A. Yes, I was.

10 Q. Okay. Describe for the jurors what  
11 type of surgery was performed.

12 A. Well, it's call exploratory surgery  
13 again because we're looking for injuries. We don't know  
14 what's injured yet. We took her up to the operating  
15 room, gave her general anesthetic, where she was out.  
16 We washed the wounds, cleaned this all  
17 out, and were able to look at it. Once we had her up in  
18 the operating room, under the anesthetic, with everything  
19 cleaned and prepped, there was very little bleeding at  
20 this time.

21 So, we explored the wound and found  
22 that most of the bleeding had come from the veins that  
23 are located underneath the skin, in kind of, in what's  
24 called subcu, or the fat tissue that's underneath your  
25 skin.

Sandra M. Halsey, CSR, Official Court Reporter  
723

1 There's a bunch of veins here in the  
2 neck area. Some of those were injured. We repaired  
3 those by either using the electrocautery, which is an  
4 electric type of current that coagulates the vessels, or  
5 we put some stitches in the small vessels. We washed out  
6 the rest of the wound.

7 We noted that the wound went down to  
8 what is called the platysma, which is the muscle that  
9 kind of covers your neck here. When you do that, you can

10 see it flexing. Her wound went down to the platysma, had  
11 a little nick in it, but did not go beyond it. So,  
12 having found that extent of the injury, we washed that  
13 out and closed the neck wound.

14 Q. Okay. So you took her in and, I  
15 guess, she was put to sleep?

16 A. Correct.

17 Q. And then you take a look at this wound  
18 you have on the neck?

19 A. Right.

20 Q. About -- was it just one wound to the  
21 neck?

22 A. There was one wound to the neck, there  
23 was another separate wound to the left shoulder, and a  
24 separate wound to the right forearm.

25 Q. Which wound were you primarily  
Sandra M. Halsey, CSR, Official Court Reporter  
724

1 concerned with?

2 A. With the neck injury.

3 Q. And could you tell the jurors how long  
4 this wound in the neck was?

5 A. We didn't measure it, but we estimated  
6 it was approximately 9 centimeters long.

7 Q. You say it came across partly on the  
8 right side?

9 A. It went from the right to the left. I  
10 can't tell you where it started, but it extended from the  
11 one side to the other, just passed the midline on the  
12 left side.

13 Q. Now, you say that it went to the --  
14 what's called the platysma; is that right?

15 A. Platysma, yes.

16 Q. And did you measure how deep the wound  
17 was?

18 A. No. We usually don't measure wounds  
19 because it doesn't matter, the depth of the injury. What  
20 matters is in relationship to the other structures, like  
21 the platysma. In the neck, that's kind of a defining  
22 boundary. If it goes past the platysma, it's considered  
23 a deep wound.

24 In that case, we may have to do  
25 further exploration and open up the wound more. If it  
Sandra M. Halsey, CSR, Official Court Reporter  
725

1 goes to the platysma, then is called superficial wound.

2 Q. Okay. So, in laymen's terms, this  
3 wound cut through, I guess, the skin and fat; is that

4 right?

5 A. Correct.

6 Q. Okay. And the little veins that are

7 contained in the skin and the fat?

8 A. Correct.

9 Q. But didn't penetrate the muscle that's

10 below the skin and fat?

11 A. Correct, did not.

12 Q. And in your terms, you call that a

13 superficial wound; is that right?

14 A. Yes, sir. The medical description,

15 that's a superficial wound.

16 Q. And you can't tell that there in the

17 emergency room; is that right?

18 A. Right. And you don't need to take the

19 time in the emergency room to do that. With a wound to

20 the neck at zone 2, the best thing to do is take them to

21 surgery and explore them there.

22 Q. Okay. And that's what you did in this

23 case?

24 A. Yes.

25 Q. And once you get in there, you find

Sandra M. Halsey, CSR, Official Court Reporter

726

1 it's -- all it did is cut through the fat and cut the

2 veins and the fat and went down to the, what you call the

3 platysma; is that right?

4 A. Correct.

5 Q. So, what did you do to repair that

6 wound?

7 A. As I said, we washed it out and made

8 sure that the bleeding was controlled, and then put some

9 sutures in there to close the wound completely and put a

10 dressing on that.

11 Q. Okay. So, you made sure the bleeding

12 was controlled from these veins that were cut?

13 A. Um-hum. (Witness nodding head

14 affirmatively).

15 Q. And then just sewed -- did you sew

16 Mrs. Routier up?

17 A. Yes. We put what is called a

18 subcuticular stitch underneath the skin, but we closed

19 the wound up completely.

20 Q. Okay. Now, could you tell the jurors

21 about the other injuries that you looked at?

22 A. Yes. She also had a separate

23 laceration or wound to the left shoulder, and another one

24 to the right forearm. Those were not actively bleeding.

25 Those were not our main priority when we got into

1 surgery.  
2 Once we determined that the neck wound  
3 was under control, we finished and we closed that, then  
4 we turned our attention to the other two wounds, and  
5 washed them out, determined that there was no foreign  
6 body left in there, like a piece of glass, or piece of  
7 metal from the knife, whatever had caused the injury.  
8 We determined that there was no active  
9 bleeding. Again, cleaned them out, washed them out, and  
10 then closed both of those wounds.  
11 Q. Could you tell how deep this wound was  
12 here on the clavicle?  
13 A. The one -- the clavicle is really the  
14 shoulder bone, this was a little bit lower than that, it  
15 went through the skin into the fat, and right to the  
16 muscle and stopped there. And again, no active bleeding,  
17 so that's also considered a superficial wound.  
18 The one on her forearm down here also  
19 went down through the skin, through the fat and into the  
20 muscle. But by the time we got her up in surgery, and  
21 looked at it, there was no active bleeding, so we just  
22 washed that out and closed that as well.  
23 Q. Okay. If she just had this wound  
24 here, this smaller wound here on the clavicle and the  
25 wound to the arm, would you have taken her and operated  
Sandra M. Halsey, CSR, Official Court Reporter  
728

1 on her at all?  
2 A. No. Those would be wounds that could  
3 be examined and probably closed in the emergency room and  
4 sent home.  
5 Q. Just sewed up and sent home?  
6 A. Correct.  
7 Q. Okay. Did you see any other major  
8 cuts on her that needed to be tended to?  
9 A. No. We examined her when we had her  
10 up in the operating room, since she was under an  
11 anesthetic, and we didn't want to cause any discomfort.  
12 We examined all three of these wounds  
13 that I've talked about. We repaired those. We looked to  
14 make sure she had no other stab wounds to her back or  
15 anywhere else. We did not find any other injury.  
16 Q. You looked pretty close for any  
17 injuries; is that right?  
18 A. Yes, sir.  
19

20 MR. TOBY L. SHOOK:: May I approach  
21 the witness?  
22 THE COURT: You may.  
23  
24 (Whereupon, the following  
25 mentioned item was  
Sandra M. Halsey, CSR, Official Court Reporter  
729

1 marked for  
2 identification only  
3 as State's Exhibit 28-A & B,  
4 after which time the  
5 proceedings were  
6 resumed on the record  
7 in open court, as  
8 follows:)

9  
10 BY MR. TOBY L. SHOOK:  
11 Q. Let me show you two photographs marked  
12 State's Exhibits 28-A and 28-B. Do these look like the  
13 wounds that you treated on Mrs. Routier?  
14 A. Yes.  
15 Q. Okay. And 28-B had, I guess, some  
16 type of strips across it?  
17 A. It's called Steri-strips or butterfly  
18 bandages.  
19 Q. Okay. But that's how they looked  
20 after she was treated?  
21 A. Yes.  
22 Q. Okay.  
23  
24 MR. TOBY L. SHOOK: We'll offer  
25 State's Exhibit 28-B and 28-A.  
Sandra M. Halsey, CSR, Official Court Reporter  
730

1 MR. RICHARD C. MOSTY: No objection,  
2 Your Honor.  
3 THE COURT: State's Exhibit 28-A and B  
4 are admitted.  
5  
6 (Whereupon, the above  
7 mentioned item was  
8 received in evidence  
9 as State's Numbers 28-A & B,  
10 for all purposes  
11 after which time,  
12 the proceedings were  
13 resumed on the record,

14 as follows:)

15

16 MR. TOBY L. SHOOK: Okay. Could I  
17 have the doctor step down for just a minute?

18 THE COURT: Please step down, Doctor.

19 Watch your step going over there.

20

21 (Whereupon, the witness

22 Stepped down from the

23 Witness stand, and

24 Approached the jury rail

25 And the proceedings were

Sandra M. Halsey, CSR, Official Court Reporter

731

1 Resumed as follows:)

2

3 BY MR. TOBY L. SHOOK:

4 Q. Let me caution you to keep your voice

5 up now that you're not in front of the microphone.

6 A. Okay.

7 Q. Let me step back here so we can let

8 all of the jurors see. If you could point out, I guess,

9 does 28-B show the two injuries to the neck and then the

10 left shoulder area.

11 A. All right. This is the injury to the

12 neck here, the laceration, and then here's the second one

13 to the left shoulder.

14 Q. Okay. And this injury to the neck, it

15 starts right up in this area; is that right?

16 A. Um-hum. (Witness nodding head

17 affirmatively). It goes from the right crosses the

18 midline, which is right here. It goes to the left of the

19 midline and stops there.

20 Q. Okay. This was one long cut; is that

21 correct?

22 A. Correct.

23 Q. And then about how long was this cut?

24 A. Probably about an inch and a half.

25 Q. Okay. And again, it just went through

Sandra M. Halsey, CSR, Official Court Reporter

732

1 the skin and the fat here on the neck, just down to the

2 platysma?

3 A. Correct.

4 Q. And then State's Exhibit 28-A, does

5 that show us the wound to the forearm?

6 A. Yes. That's the wound to the right

7 forearm extending about --

8 Q. If you could step back, Doctor.

9 A. -- about an inch and a half here on

10 her forearm. Again, that was washed out, and then you

11 could see the sutures that we used to close that.

12 Q. Okay. If she had just come in with

13 that, you would have just sewn her up there in the

14 emergency room?

15 A. Right.

16 Q. And then right above that wound, is

17 there another wound, a smaller wound?

18 A. Yes. Appears to be a small

19 laceration. We washed that out. There was no bleeding

20 from that. We thought that that would heal on its own

21 and did not require stitches.

22 Q. So it didn't require stitches, but it

23 was a laceration?

24 A. Yes.

25 Q. Okay. You can have a seat, Doctor.

Sandra M. Halsey, CSR, Official Court Reporter

733

1 (Whereupon, the witness

2 Resumed the witness

3 Stand, and the

4 Proceedings were resumed

5 On the record, as

6 Follows:)

7

8 MR. TOBY L. SHOOK: Judge, if we could

9 have the Doctor step down and look at Mrs. Routier's neck

10 so I can get some testimony about where the wound was

11 located.

12 THE COURT: Yes, if you will do that.

13 All right.

14

15 (Whereupon, the witness

16 stepped down from the

17 witness stand, and

18 Examined the defendant's

19 Neck and the proceedings

20 Were resumed as

21 Follows:)

22

23 THE WITNESS: That's the wound we're

24 talking about.

25

Sandra M. Halsey, CSR, Official Court Reporter

734

1 BY MR. TOBY L. SHOOK:

2 Q. Okay. And if you could point on the  
3 defendant where that wound begins.

4 A. Well, it extends from here down to  
5 here. You can see the scar over here.

6 Q. All right, Doctor, if you could maybe  
7 just step around. If you could step over there, please.

8 A. Okay.

9 Q. All right. Turn away this way. All  
10 right.

11 A. The incision was from here and comes  
12 all the way down to here. It's a little more scarring in  
13 the middle here, but this was the length of the incision  
14 here.

15 Q. Okay. And if we could see the scar  
16 here on the forearm, if you would turn that to the jury.

17 A. Yes. And that's the incision we saw.

18 That's a separate one noted on the photograph. This is  
19 the laceration to the forearm.

20 Q. Okay. And is that about, after 6 or 7  
21 months how you would expect the scarring to look?

22 A. Yes.

23 Q. Okay. Thank you.

24

25 (Whereupon, the witness  
Sandra M. Halsey, CSR, Official Court Reporter  
735

1 Resumed the witness

2 Stand, and the

3 Proceedings were resumed

4 On the record, as

5 Follows:)

6

7 BY MR. TOBY L. SHOOK:

8 Q. Okay. Now, Doctor, after she was sewn  
9 up and these wounds were cleaned up, what did you do with  
10 her then?

11 A. After that she was extubated, which  
12 means the breathing tube was taken out. And we put her  
13 in the intensive care unit for recovery.

14 Q. Can you tell us how long this whole  
15 procedure took to look at these wounds, the whole  
16 operation?

17 A. I could look it up if you want the  
18 exact time, approximately an hour, hour and a half.

19 There should be an operative record in here.

20 Okay. She came into -- was brought  
21 into the operating room at 3:40 in the morning. The  
22 operation, the actual surgery began at 3:50. We finished

23 the operation at 4:35. That was the neck exploration,  
24 then we turned our attention to the other wounds, as I  
25 mentioned, from 4:35 to 4:49.

Sandra M. Halsey, CSR, Official Court Reporter  
736

1 So, if you look at the whole time of  
2 the operation, the time we examined and treated her neck  
3 to the time we finished with the other injuries, it was  
4 from 3:50 to 4:49, about an hour.

5 Q. And during that -- while she's under,  
6 are you taking examination for any other injuries you  
7 might see?

8 A. Yes, we did.

9 Q. Okay. And after that, where do you  
10 put her in the hospital? What is done under your orders?

11 A. The patient can be taken either to a  
12 recovery room to recover from the anesthetic, the affects  
13 of the anesthetic, until they wake up, or they can be put  
14 in the intensive care unit. In her case, we put her in  
15 the intensive care unit.

16 Q. Why did you decide to do that?

17 A. My concern was, just from what little  
18 I knew of what happened. That I knew she had been  
19 injured, and I knew one of her children was dead that I  
20 had seen in the ER. And I was told another child was  
21 dead at the scene, I was afraid that all this might be a  
22 little too much for her.

23 Plus, I knew that there would be a lot  
24 of media around, and I didn't want her disturbed, so I  
25 put her in the ICU really so we could take care of her a  
Sandra M. Halsey, CSR, Official Court Reporter

737

1 little bit closer and protect her from anybody who might  
2 try to come in and bother her.

3 Q. Okay. What kind of patients are  
4 usually taken to the ICU unit?

5 A. Usually critically ill patients that  
6 need to be maintained on a ventilator, the breathing  
7 machine. That's one criteria for putting someone in the  
8 intensive care unit. Someone who is unstable. The blood  
9 pressure is unstable, hard to manage. Someone who has  
10 multiple injuries, like car wreck victims who will have  
11 head, belly and pelvic injuries.

12 Q. Okay. So, Ms. Routier wasn't put in  
13 the ICU because she was in critical condition by any  
14 means?

15 A. No. Her injuries, by the time we  
16 finished in the O.R., I felt pretty clear that we had

17 managed those, and those were of no further danger to  
18 her. I was more concerned about her psychological state  
19 after all this happened, when she would wake up, and  
20 about protecting her from the media and all those kinds  
21 of things.

22 Q. You were concerned being -- what you  
23 knew about it was a stabbing and her two children had  
24 been killed; is that right?

25 A. Correct.

Sandra M. Halsey, CSR, Official Court Reporter  
738

1 Q. And you were concerned about her  
2 psychological state and how she might handle that?

3 A. Yes.

4 Q. And also didn't want the press coming  
5 in and asking her questions?

6 A. Correct.

7 Q. Okay. Were you concerned she might --  
8 well, be somewhat unstable when she woke up from the  
9 operation?

10 A. Yes. I was afraid that once she knew  
11 what had happened, that both children were dead, that she  
12 might be in a very precarious psychological state.

13 Q. All right. Let me ask you, Doctor,  
14 when someone is admitted, do you run a blood screen to  
15 see if any drugs are present in the body?

16 A. Routinely on trauma patients,  
17 particularly patients involved in car wrecks, we'll  
18 almost always get an alcohol and drug screen to see if  
19 there is any drugs involved.

20 On patients who are stabbed or shot,  
21 or have injuries from falling, it kind of depends on  
22 whose drawing the blood at the time. Sometimes the  
23 emergency room physician will order it. Sometimes We  
24 will order it. Sometimes the nurses will draw that blood  
25 and they will get sent.

Sandra M. Halsey, CSR, Official Court Reporter  
739

1 Q. Was there some testing done in this  
2 particular case?

3 A. Yes. There was -- she had a drug  
4 screen drawn on admission.

5 Q. Okay. What was found in that?

6 A. It was positive for amphetamines.

7 Q. Okay. And do you know what particular  
8 type of amphetamines?

9 A. No. All a drug screen will say is  
10 that she is positive for a class of drugs, which

11 classified as amphetamines, but it won't tell you which  
12 ones.

13 Q. Okay. And if a patient can talk, do  
14 they give a medical history when they get there to the  
15 emergency room?

16 A. Yes. They're asked, usually, in  
17 detail about their medical history.

18 Q. Okay. And those records will be  
19 reflected there?

20 A. Yes. Usually the emergency room  
21 nurses will get all that information.

22 Q. And if Ms. Routier was -- when we talk  
23 about amphetamines, would those be included in diet  
24 pills?

25 A. Yes.

Sandra M. Halsey, CSR, Official Court Reporter  
740

1 Q. What is the opposite of amphetamines?

2 A. What's called downers, or Valium, or  
3 things like that, that will depress your affect.

4 Q. Make you sleepy, put you to sleep,  
5 that type of thing?

6 A. Right.

7 Q. Was any of that found in Ms. Routier?

8 A. No, only amphetamines.

9 Q. Okay. Which -- what do amphetamines  
10 do?

11 A. As you said, they can be used in diet  
12 pills, also other kinds of amphetamines. It's usually to  
13 stimulate you.

14 Q. Okay. Oh, any alcohol found in Mrs.  
15 Routier?

16 A. I don't remember if an alcohol level  
17 was drawn on her.

18 Q. And is there any way you can tell how  
19 much amphetamine is present in the body?

20 A. No, it doesn't measure the level, it  
21 just says whether it's present or not.

22 Q. Okay. Let me talk to you a moment  
23 again about the boy, Mrs. Routier's son. You didn't know  
24 his name at that time, did you?

25 A. No, I did not.

Sandra M. Halsey, CSR, Official Court Reporter  
741

1 Q. Did you later learn his name was  
2 Damon?

3 A. Yes.

4 Q. Okay. In 52-J, you probed the wounds

5 in the back; is that right?

6 A. Yes.

7 Q. These deep penetrating wounds, could  
8 you tell, just from looking at them, some of the vital  
9 parts of the body that they injured?

10 A. Yes. As I said, one of them that I  
11 probed that went into his chest cavity, probably  
12 collapsed his lung. I couldn't tell if there were any  
13 other injuries in the chest cavity because there was no  
14 active bleeding when he got there. He had already  
15 sanguinated. And I presume that the cause of death was  
16 loss of blood or sanguination.

17 Q. Okay. Go ahead.

18 A. The other injury that I probed, I went  
19 into his abdominal cavity, the peritoneal cavity,  
20 appeared to injure the liver.

21 Q. Okay. If someone -- you've seen  
22 people in the E.R. that have been stabbed and had a  
23 collapsed lung; is that right?

24 A. Yes.

25 Q. On few or many occasions?

Sandra M. Halsey, CSR, Official Court Reporter

742

1 A. Many.

2 Q. Okay. If someone is stabbed in the  
3 lung and it causes it to collapse, are they still able to  
4 make noise?

5 A. Yes.

6 Q. Okay. Would they still be able to cry  
7 out in pain?

8 A. Yes.

9 Q. Okay. And is that a normal reaction  
10 when you get stabbed?

11 A. Yes.

12 Q. Okay. People make a lot of noise  
13 there in the emergency room, I bet?

14 A. Yes, they do.

15 Q. And is it an instantaneously fatal  
16 wound?

17 A. No. To have a collapsed lung can  
18 cause some pain and discomfort and shortness of breath  
19 and trouble breathing, but it won't kill you. If you get  
20 what's called a tension pneumothorax, where there's a lot  
21 of pressure in your lung, or actually outside the lung,  
22 and pushing your vital organs, your heart and all that  
23 over, that can cause your blood pressure to drop and it  
24 may cause death eventually. But he did not have a  
25 tension pneumothorax because it was open to the air. A

1 tension pneumothorax, usually it's a closed system.

2 Q. So when he was stabbed, he would have  
3 been capable of yelling out in pain?

4 A. I believe he would have, yes.

5 Q. And he would be capable of moving  
6 around some?

7 A. Yes.

8 Q. All right. Now, you transferred her  
9 to the ICU unit. Where is that located in Baylor?

10 A. In Baylor it's located up on the 4th  
11 floor. We have a number of ICUs. She was taken to the  
12 trauma ICU, which is on the 4th floor.

13 Q. Did you -- I guess after she's brought  
14 in, you are her physician; is that right?

15 A. Yes, I am.

16 Q. And as part of your duties, do you  
17 then check up on her throughout the day?

18 A. Yes.

19 Q. Okay. Did you go by her room later on  
20 that day?

21 A. Yes. I went by the ICU later to see  
22 how she was doing.

23 Q. Okay. And how was she doing when you  
24 went by there?

25 A. Medically she was stable. I spoke to  
Sandra M. Halsey, CSR, Official Court Reporter  
744

1 the nurses. Her vital signs had been stable. She had no  
2 signs of bleeding from any of the wounds. Blood  
3 pressure, heart rate, all those kinds of things were  
4 looking okay. And the wounds were dry, as you saw in the  
5 pictures. No big oozing of blood or anything from there.  
6 I was happy to see that medically and surgically she was  
7 doing well.

8 Q. Okay. Well, let me ask you this: You  
9 wanted her in the ICU because of the facts, what you knew  
10 of the facts surrounding her admittance, you were afraid  
11 of her mental stability; is that right?

12 A. Yes.

13 Q. If this had been -- if she had come in  
14 with these same injuries let's say due to a household  
15 accident, would you have kept her in the ICU?

16 A. No, she would have gone to recovery.

17 Q. Okay. Would she have had a long stay  
18 there in Baylor Hospital?

19 A. No, she probably would have gone home

20 later that day.

21 Q. Did you talk with her?

22 A. Yes. I explained the injuries that we  
23 had found, what we had done about her neck and her arm  
24 and her shoulder. And I told her that I thought she was  
25 very lucky, and that thankfully we wouldn't have to do  
Sandra M. Halsey, CSR, Official Court Reporter  
745

1 anything else.

2 Q. Okay. Now, you talked about how you  
3 were worried about her mental health; is that right?

4 A. Yes.

5 Q. Have you dealt with people that have  
6 lost loved ones due to accident -- well, due to sudden  
7 deaths?

8 A. Yes.

9 Q. Or to sickness?

10 A. Mostly trauma, because that's what I  
11 do.

12 Q. Something you deal with, I guess, on a  
13 daily or weekly basis at times?

14 A. Yes.

15 Q. Okay. Have you dealt with situations  
16 where a person might be injured and, in the car wreck,  
17 themselves, let's say, one of their loved ones is also  
18 killed?

19 A. Yes.

20 Q. Also maybe someone who is just taken  
21 to the hospital and they die in your emergency room and  
22 you have to deal with the family when they get there?

23 A. Yes, that happens often.

24 Q. And in the course of your experiences,  
25 have you dealt with mothers that have lost their  
Sandra M. Halsey, CSR, Official Court Reporter  
746

1 children?

2 A. Yes.

3 Q. On a few or many occasions?

4 A. Many. Too many.

5 Q. Okay. Do you want to take a lot of  
6 delicate care when you talk to a mother about that?

7 A. Yes. You have to be very careful  
8 because you don't know how people are going to react.  
9 You don't know how much they know, to begin with, and  
10 what kind of support system they have.

11 Q. Okay. What frame of mind were you in  
12 when you first went to go examine Mrs. Routier after she  
13 had woken up from surgery and you went to examine her?

14 A. Well, I was, again, happy that she was  
15 doing well medically and surgically, but I did not know  
16 how she was going to deal with it psychologically. I  
17 didn't know if she was aware that both her sons were  
18 dead. I didn't know what had happened. I didn't know  
19 how she felt about it, and so I was very concerned that  
20 she might be very unstable psychologically.

21 Q. Okay. And what did you find after you  
22 spoke with her?

23 A. I spoke with her. She obviously knew  
24 that both boys were dead. Her husband was at the  
25 bedside. And I think she had a large picture of both  
Sandra M. Halsey, CSR, Official Court Reporter  
747

1 boys. So I spoke mostly about her injuries. I didn't  
2 want to bring up the fact about her boys being dead. I  
3 didn't want to have to go over that with her again. So,  
4 mostly I talked to her about the injuries. I kind of  
5 stayed around a little bit to make sure that I thought  
6 she was handling it okay. She had sort of a flat affect,  
7 but my main concern was that she did know what had  
8 happened, and I wanted her to know that she was going to  
9 be okay. And that was about the extent of our  
10 conversation.

11 Q. What do you call flat affect?

12 A. Someone who has a monotone voice, is  
13 obviously not excited about whatever is going on, and  
14 blunt reaction to the situation, to the environment.

15 Q. Okay. Now, you've dealt with mothers  
16 in this same situation before?

17 A. Yes, I have.

18 Q. Tell the jury how they usually react.

19 A. Most of the time mothers, when they're  
20 made aware, or told that a child has died, get  
21 hysterical.

22 Q. Okay. Even after they've known for  
23 some hours that the child is dead?

24 A. Well, it's usually very hard for,  
25 especially a mother, to accept that, yes.

Sandra M. Halsey, CSR, Official Court Reporter  
748

1 Q. What types of things do you see? What  
2 are their reactions like?

3 A. They cry. They usually tell me I'm  
4 wrong. They don't believe me. And they want to know why  
5 this happened, couldn't have happened. They usually go  
6 into sort of denial and want to see the child, or want me  
7 to prove -- or want to prove to me that the child is

8 fine. And they're usually hard to control, that's why  
9 it's good to have a good support system, husband, brother  
10 or mother, somebody with them that can help them deal  
11 with that.

12 Q. And are you able to console them  
13 easily?

14 A. No.

15 Q. You say they cry a lot?

16 A. Yes, they do.

17 Q. And what do you mean by cry?

18 A. Crying over loss of a loved one,  
19 crying over the tragedy of what has happened. And  
20 there's a lot of anger, usually, because it can be from a  
21 gunshot wound, a car wreck. It is very hard for,  
22 especially mothers, to face the fact that the children  
23 are dead. And there's a lot of anger and a lot of pain.

24 Q. You're talking about crying with  
25 tears, sobbing, that kind of thing?

Sandra M. Halsey, CSR, Official Court Reporter  
749

1 A. Yes.

2 Q. Tears coming down the cheeks?

3 A. Yes.

4 Q. All right. Now, how long was Ms.  
5 Routier in the hospital?

6 A. She came in, I think we said about  
7 3:00 in the morning on the 6th and was discharged on the  
8 8th.

9 Q. Okay. About 3:00 something in the  
10 morning on the 6th and discharged on the 8th of June?

11 A. Around noon on the 8th.

12 Q. Around noon on the 8th?

13 A. Somewhere around that.

14 Q. Did you see her the entire time she  
15 was there, would you check on her periodically?

16 A. Yes. I saw her the next day, which  
17 would be -- I saw her that first day later on in the day,  
18 and then I saw her on the 7th, and then on the 8th before  
19 she went home.

20 Q. Okay. This what you described as she  
21 had flat affect, did you ever see that change at all?

22 A. No. Every time I saw her she  
23 exhibited the same.

24 Q. Okay. Let me ask you, Dr. Santos, as  
25 far as all of the mothers you have dealt with in this  
Sandra M. Halsey, CSR, Official Court Reporter

750

1 same situation, have you seen anyone react in this way?

2 A. No, I have not.

3 Q. Okay. Now, on that day the 6th, she

4 had been operated on earlier in the morning by yourself

5 and the other residents?

6 A. Yes.

7 Q. Was she suffering from the influence

8 of drugs, in your opinion, from the operation?

9 A. No. The anesthetic drugs usually wear

10 off after a couple of hours. I felt that that was all

11 gone. She had some pain medicine ordered as she should

12 for the injuries of the surgery she had, but usually the

13 medication that she was getting doesn't give you a flat

14 affect. It can make you very sleepy, especially if

15 you're very sensitive to it, or you get too much of it,

16 but it usually doesn't give you a flat affect.

17 Q. Okay. Does -- was she awake when you

18 saw her?

19 A. Yes. She was sitting up and talking.

20 Q. Appeared alert and lucid?

21 A. Yes.

22 Q. Did she seem aware of her

23 surroundings?

24 A. Yes. Again, that's why I told her

25 where she was, and wanted to make sure she knew what we

Sandra M. Halsey, CSR, Official Court Reporter

751

1 had done and why she had all of these stitches and all

2 these things. So, she knew where she was.

3 Q. Okay. Now, also do you have

4 psychiatrist there at Baylor who are on staff and can

5 assist you?

6 A. Yes, we do.

7 Q. And in these type cases, do you keep

8 careful watch on the patient in case their services are

9 needed?

10 A. Yes.

11 Q. And is that something you had in your

12 mind in dealing with Ms. Routier?

13 A. Yes. That's something that we kind of

14 had a plan. That if I thought she was having a lot of

15 trouble handling this, we were going to get psychiatry to

16 come by and help her.

17 Q. Okay. Did you ever feel you had to do

18 that?

19 A. No.

20 Q. Okay. Did she appear to be any kind

21 of zombie, or just traumatized state there in the

22 hospital?

23 A. No. That was not my impression. My  
24 impression was she just had a flat affect, and that's all  
25 I saw.  
Sandra M. Halsey, CSR, Official Court Reporter  
752

1 Q. Okay. Now, you say she was released  
2 on the 8th of June, somewhere around noon or so; is that  
3 right?

4 A. Yes.

5 Q. Did you want to keep her there  
6 sometime longer?

7 A. Yes. I was still concerned that maybe  
8 she hadn't reached that point where she would have more  
9 of an uncontrollable reaction to all of this. And I kind  
10 of wanted to watch her, I think it was over the weekend,  
11 watch her until, like, Monday.

12 Q. Okay. But did you ever see this  
13 reaction that you were expecting?

14 A. No, I did not.

15 Q. Okay. And did her and her husband  
16 want to be released, if possible?

17 A. Yes. Her husband stated that they  
18 would like to go, I think, because there was a funeral  
19 pending for the children. And I asked her if that was  
20 okay with her, if she felt like going and she said she  
21 did.

22 Q. Now, let me go into another area.

23 You, as a trauma surgeon, deal with a  
24 lot of people that come in there that have been in some  
25 violent altercations; is that right?

Sandra M. Halsey, CSR, Official Court Reporter  
753

1 A. Yes.

2 Q. Have you treated a lot of people that  
3 have been involved in assaults using sharp weapons,  
4 knives, things like that?

5 A. Yes.

6 Q. Okay. As part of your job, you see  
7 what we call defensive wounds?

8 A. Yes, I have seen a lot of those.

9 Q. Tell the jury what defensive wounds  
10 are.

11 A. Well, defensive wounds usually mean  
12 when you're trying to defend yourself. It is usually  
13 against someone attacking you, usually with a knife.  
14 It's hard to defend yourself against someone with a gun  
15 by using your hands, unless you try to grab the gun.  
16 Most of the time, when someone is

17 close to you and trying to stab you, you put your hands  
18 up, and it's a reaction to try to grab the knife and to  
19 keep it away from your face.

20 So you can get defensive wounds where

21 you have stab wounds to the fingers and the hands. And

22 sometimes if their trying to slash you, you bring you

23 arms up and you get slash marks on your forearms.

24 Q. The wounds to the hands, where are

25 they generally located?

Sandra M. Halsey, CSR, Official Court Reporter

754

1 A. Usually on the fingers and on the palm

2 surface, because you usually have your palms out, as to

3 try to defend yourself.

4 Q. Someone's coming at you with a knife,

5 you automatically put your hands up?

6 A. Yes.

7 Q. Are they usually just small wounds, or

8 can they be severe wounds?

9 A. It'll depend on the size of the knife.

10 Obviously if it's a small knife, they make small puncture

11 wounds or small lacerations. If it's a larger knife,

12 then usually they can make very deep wounds into your

13 hands. And if you try to grab the knife, they can cut

14 your fingers in half. You can also have deep slash

15 wounds to your forearms if you try and fight them off.

16 Q. Is it unusual for a person to grab a

17 knife?

18 A. Well, I don't know if I would say it's

19 unusual. It happens occasionally when you're really

20 trying to defend yourself. Most people would just try to

21 push things away.

22 Q. Okay. You also see defensive wounds

23 to the forearms; is that right?

24 A. Yes.

25 Q. Okay. And where are those located?

Sandra M. Halsey, CSR, Official Court Reporter

755

1 Where do you see those wounds?

2 A. Usually when you put your forearms up,

3 or your arms up to try to defend them, and if they're

4 trying to slash you, you'll see them on this part of your

5 forearm across this way.

6 Q. Okay. The underneath part here of

7 your forearm?

8 A. Correct.

9 Q. And are they usually just one or more?

10 A. No. Usually they're multiple,

11 multiple injuries to the forearm.  
12 Q. So you'll see several slash marks  
13 horizontally across the forearm?  
14 A. Yes, usually.  
15 Q. Okay. This wound to Mrs. Routier's  
16 forearm here in 28-A, is that the kind of defensive wound  
17 you usually see?  
18 A. No. That is not a --  
19

20 MR. JOHN HAGLER: Excuse me, your  
21 Honor. At this time we would object to this line of  
22 questioning. This witness is a trauma surgeon, not a  
23 forensic expert. We would submit under Rule 702 and 705,  
24 he is not qualified to give his opinion as to the nature  
25 and type of wound that's reflected in this case.  
Sandra M. Halsey, CSR, Official Court Reporter  
756

1 THE COURT: Overruled. Go ahead.  
2

3 (Whereupon, the following  
4 mentioned item was  
5 marked for  
6 identification only  
7 as State's Exhibit 28-D,  
8 after which time the  
9 proceedings were  
10 resumed on the record  
11 in open court, as  
12 follows:)

13  
14 BY MR. TOBY L. SHOOK:  
15 Q. Here in 28-A, is that the type of  
16 wound that you usually see in what you call a defensive  
17 wound?

18 A. No, that's not a typical defensive  
19 wound.

20 Q. And why is that?

21 A. Again, it's a deeper wound, because I  
22 examined that wound. It's not a slash wound, like a  
23 knife cutting cross, it's a stab wound. It usually would  
24 be, as I said, the defensive wounds would be more on this  
25 part of the forearm and they would be across the other  
Sandra M. Halsey, CSR, Official Court Reporter  
757

1 way, typically.

2 Q. When a person puts their arm up?

3 A. Right.

4 Q. Okay. Now, let me show you what's

5 been marked as State's Exhibit 28-D, a large photograph  
6 of a palm of a hand and fingers; is that right?

7 A. Yes.

8 Q. Okay. Do you see some, what could be  
9 cuts there on the fingers?

10 A. Yes. Appear to be some slight  
11 injuries there to those fingers.

12 Q. Okay. Is that what you would call a  
13 typical defensive wound you see on the hands if someone  
14 is being assaulted by a knife?

15

16 MR. JOHN HAGLER: Same objection, your  
17 Honor. Same objection, your Honor.

18 THE COURT: I'll overrule the  
19 objection. Go ahead.

20 MR. JOHN HAGLER: Could we have a  
21 running objection?

22 THE COURT: Oh, yes, running  
23 objection.

24 THE WITNESS: I'm sorry, would you  
25 repeat the question?

Sandra M. Halsey, CSR, Official Court Reporter  
758

1 BY MR. TOBY L. SHOOK:

2 Q. Is this the type of cut that you would  
3 classify as the defensive wound that you usually see  
4 there that's on the hands?

5 A. No. Normally they would be larger.

6 Q. Okay. Larger, deeper wound?

7 A. Yes. Deeper.

8

9 MR. TOBY L. SHOOK: We'll offer  
10 State's Exhibit 28-D.

11 MR. RICHARD C. MOSTY: No objection.  
12 Subject to the earlier objection.

13 THE COURT: I assume it's the same  
14 objection?

15 MR. JOHN HAGLER: Yes, your Honor.

16 THE COURT: All right. Overruled.  
17 State's 28-D is admitted.

18

19 (Whereupon, the item

20 Heretofore mentioned

21 Was received in evidence

22 As State's Exhibit No. 28-D

23 For all purposes,

24 After which time, the

25 Proceedings were resumed

Sandra M. Halsey, CSR, Official Court Reporter  
759

1 As follows:)

2

3 BY MR. TOBY L. SHOOK:

4 Q. Now, I want to show the photographs to  
5 the jurors. Could you point out the injuries you might  
6 see there to the hand.

7 A. Normally, typically defensive wounds  
8 you would see puncture wounds to the hand, to the palm  
9 and to the fingers here. And they should be deeper  
10 wounds if someone is trying to stab you.

11 Q. Could you point on the photograph  
12 where these -- there's some maybe cuts located on the  
13 fingers?

14 A. The injuries I see here are this  
15 middle finger, and on this ring finger here, but they  
16 appear to be small.

17

18 (Whereupon, the following  
19 mentioned item was marked  
20 for identification only

21 as State's Exhibits 52-A,

22 B, C, D, E, F, G, H, I,

23 after which time the

24 proceedings were

25 resumed on the record

Sandra M. Halsey, CSR, Official Court Reporter  
760

1 in open court, as

2 follows:)

3

4 BY MR. TOBY SHOOK:

5 Q. Okay. Doctor, let me show you some  
6 other photographs which have been marked as State's  
7 Exhibit Nos. 52-A, 52-B, 52-C, 52-D, 52-E, 52-F, 52-G,  
8 52-H, 52-I, and I don't need to offer that.

9 A. Okay.

10 Q. Do those photographs -- first of all,  
11 are those photographs of Darlie Routier and injuries  
12 there to her body?

13 A. Yes, they are.

14 Q. In some of the photographs she's in a  
15 pink shirt. And specifically State's Exhibits 52-F, 52-G  
16 and 52-H, are those taken at the hospital?

17 A. Yes, they are.

18 Q. Okay.

19

20 MR. TOBY L. SHOOK: Your Honor, we'll  
21 offer State's Exhibits 52-A through I.  
22 MR. RICHARD C. MOSTY: No objection,  
23 Your Honor.  
24 THE COURT: State's Exhibit 52-A, B,  
25 C, D, E, F, G, H and I are admitted.  
Sandra M. Halsey, CSR, Official Court Reporter  
761

1 (Whereupon, the items  
2 Heretofore mentioned  
3 Were received in evidence  
4 As State's Exhibit No. 52-A  
5 through 52-I for all purposes,  
6 After which time, the  
7 Proceedings were resumed  
8 As follows:)  
9

10 BY MR. TOBY L. SHOOK:  
11 Q. Doctor, in your hospital records, if  
12 you could look at the focus notes of the nurse and turn  
13 to the date of 6-6, around 4 P.M. I guess that would be  
14 1600 hours.  
15 A. Okay.  
16 Q. In fact, I may have turned that one  
17 down on the corner, Doctor.  
18 A. Yes.  
19 Q. Okay. So it's clear, you're referring  
20 there, I think to nurse's notes that are taken there in  
21 the ICU unit?  
22 A. Yes, on 6-6.  
23 Q. Is there a note in there that some  
24 Rowlett Police officers, and someone from the medical  
25 examiner's office came and took some photographs of Mrs.  
Sandra M. Halsey, CSR, Official Court Reporter  
762

1 Routier?  
2 A. Yes. On 6-6, at 1600, it says medical  
3 examiner in Rowlett, PD officer here to photograph  
4 wounds. Procedures explained to patient's husband at  
5 bedside. Evidence being collected.  
6 Q. Okay. And that would be 4 p.m. on the  
7 6th of June; is that right?  
8 A. Correct.  
9 Q. So, she's been in the hospital a  
10 little over 12 hours at that point; is that right?  
11 A. Correct.  
12 Q. Okay.  
13

14 MR. TOBY L. SHOOK: Now, if I could  
15 have the witness step down.  
16 THE COURT: You may.  
17  
18 (Whereupon, the witness  
19 Stepped down from the  
20 Witness stand, and  
21 Approached the jury rail  
22 And the proceedings were  
23 Resumed as follows:  
24  
25  
Sandra M. Halsey, CSR, Official Court Reporter  
763

1 BY MR. TOBY L. SHOOK:  
2 Q. State's Exhibit 52-H, is that how Ms.  
3 Routier would appear in the ICU unit?  
4 A. Yes.  
5 Q. Could you tell kind of what we're  
6 seeing there, as far as what's hooked up to her?  
7 A. Yes. She has nasal cannula -- outflow  
8 of oxygen.  
9 Q. If you could just start down at this  
10 end and just kind of go along so all the jurors can see.  
11 A. She has nasal cannula of oxygen, being  
12 delivered to her nose through these two little prongs  
13 there. That is what comes around her neck here. Here's  
14 our neck incision, where we repaired that. Here's the  
15 shoulder incision on this side. And you can see the EKG  
16 leads which are the ones that monitor her heartbeat, the  
17 telemetry unit, on the sides over here, hooked up to  
18 either shoulder. And then there appears to be a line, or  
19 IV line going over to her left arm on that side.  
20 Q. Okay. The IV line is in her left arm;  
21 is that right?  
22 A. Well, it's laying over there, so I  
23 can't see where it goes in. There's a bandage on the  
24 left antecubital area -- left -- inside of the elbow, but  
25 I can't tell if the line goes in there or not.  
Sandra M. Halsey, CSR, Official Court Reporter  
764

1 Q. Looking at State's Exhibits 52-F and  
2 52-G, can you tell that there's no IV line on the right  
3 arm?  
4 A. Yes, I see there is no line in the  
5 IV -- IV line in her arm at that time.  
6 Q. And those are more photographs of her  
7 in the ICU unit; is that right?

8 A. Yes.

9 Q. Specifically photographs of her right  
10 arm?

11 A. Correct.

12 Q. Okay. Now, let me go to these other

13 photographs for a moment. State's Exhibits 52-E, D, C,

14 B, A, and I. Do these appear to be photographs of Darlie

15 Routier?

16 A. Yes.

17 Q. Okay. And is there a date present

18 here in the bottom right-hand corner of these

19 photographs?

20 A. It says 6-10-96.

21 Q. Okay. So, we can assume, at least if

22 that's correct, they were taken on the 10th day of June,

23 1996?

24 A. Correct.

25 Q. Okay. Now, let's look at 52-A. Do

Sandra M. Halsey, CSR, Official Court Reporter

765

1 you see a wound here to the right arm, or evidence of an  
2 injury to the right arm?

3 A. There's a large amount of bruising to

4 the right arm, but I don't see any -- actually by

5 laceration, there's none. But there is evidence of

6 bruising to the arm.

7 Q. Okay. And that's a pretty large

8 bruise, isn't it?

9 A. Yes.

10 Q. Where does it extend from?

11 A. It appears to go from her wrist to

12 right below where her hand is, past her elbow, up toward,

13 almost into her armpit.

14 Q. Okay. And then 52-E, that's an even

15 more close-up photograph of that bruise?

16 A. Yes, correct.

17 Q. If you could take these two

18 photographs and go along the jury rail so all the jurors

19 can see.

20 A. Okay.

21 Q. Now, Dr. Santos, tell the jurors what

22 caused this type of bruising.

23 A. Some type of trauma. Some kind of

24 blunt trauma, being hit, a car wreck, anything like that.

25 Some kind of a force to the arm.

Sandra M. Halsey, CSR, Official Court Reporter

766

1 Q. What is blunt trauma?  
2 A. Blunt trauma, as opposed to none  
3 penetrating. Penetrating is usually stab wound or  
4 gunshot wound. Blunt trauma is -- again, in a car wreck,  
5 falling and hitting your arm, being hit with a baseball  
6 bat or something like that.  
7 Q. Being struck by an object very hard?  
8 A. Correct.  
9 Q. Doesn't break the skin?  
10 A. Does not penetrate.  
11 Q. But causes these deep bruises?  
12 A. Yes.  
13 Q. Okay. Is this pretty severe blunt  
14 trauma that we're looking at?  
15 A. Yes, it is.  
16 Q. Now, by looking at these photographs,  
17 can you tell anything about the age of this bruise?  
18 A. Just by looking at this photograph, I  
19 would say that that injury is about 24 to 48 hours old.  
20 Q. 24 to 48 hours old?  
21 A. Correct.  
22 Q. And what do you see there in the  
23 photograph that let's you have that opinion?  
24 A. On this photograph there is some deep  
25 bruising to this part of the arm over here. But up  
Sandra M. Halsey, CSR, Official Court Reporter  
767

1 towards -- the upper part of her arm, the arm proper  
2 close to the armpit, there's more of a redness over here.  
3 That tells you that this is not a very old wound. Wounds  
4 like this tend to get very dark, and after about three or  
5 four days starts turning green when that blood starts to  
6 get absorbed. But this redness up here tells me that it  
7 was probably a 24 to 48 hour old wound.  
8 Q. When it's photographed here?  
9 A. Yes, at that time.  
10 Q. And the date is 6-10-96?  
11 A. Correct.  
12 Q. Now, you had Ms. Routier from about  
13 3:30 in the morning on June 6th, 1996 to you say around  
14 noon or so on June 8th; is that right?  
15 A. Correct.  
16 Q. Okay. Now, y'all checked pretty  
17 carefully about other injuries; is that right?  
18 A. Yes, we did.  
19 Q. And in ICU, are there enough nurses in  
20 attendance at all times?  
21 A. Yes.  
22 Q. Okay. It's not like being in a room

23 when you're in the hospital and the nurse just checks on  
24 you once in a while; is that right?

25 A. Correct.

Sandra M. Halsey, CSR, Official Court Reporter  
768

1 Q. They're right there all the time?

2 A. Yes.

3 Q. Okay. And you examined Mrs. Routier  
4 several times on her stay there?

5 A. Yes.

6 Q. Examined the wounds that you sewed up?

7 A. Yes.

8 Q. Okay. And before she was released, do  
9 you examine those wounds?

10 A. Yes. Routinely we'll look at the  
11 wounds just to make sure they're healing okay.

12 Q. Did you see at any time while she was  
13 in the hospital any injury that would cause this type of  
14 bruising?

15 A. No, I did not see any evidence of  
16 that.

17 Q. Okay. Is this something that you  
18 would have been if it had occurred on June 6th, let's say  
19 at 2:30 in the morning, 1996?

20 A. Yes. I believe we would have seen  
21 some evidence of that before she left the hospital.

22 Q. Okay. A person, when they get blunt  
23 trauma, they don't bruise -- a huge bruise doesn't just  
24 immediately form, does it?

25 A. No, sir.

Sandra M. Halsey, CSR, Official Court Reporter  
769

1 Q. A little bit of time occurs; is that  
2 right?

3 A. Correct.

4 Q. But to get this type of bruising, do  
5 you see some evidence of it pretty soon afterwards?

6 A. Yes. You mean if you had something  
7 that would create that, how soon would you see it?

8 Q. Right. Right.

9 A. Usually within 24 hours it will show  
10 up.

11 Q. This bruise would show up?

12 A. Yes.

13 Q. And even when you first receive the  
14 person, would you see some type of injury to that area  
15 that would later on cause this type of bruising?

16 A. You may. Most of the time you do.

17 Sometimes you cannot see the evidence in the beginning,  
18 but most of the time it's pretty evident.

19 Q. Okay. Now, you never saw any evidence  
20 of that type of injury to the right arm on her stay on  
21 the 6th, 7th or 8th of June; is that right?

22 A. Other than the stab wound that we  
23 talked about earlier, no, I did not see any other type of  
24 injury.

25 Q. Okay. Let's look at State's Exhibit  
Sandra M. Halsey, CSR, Official Court Reporter  
770

1 No. 52-F, which is a photograph of the arm wound; is that  
2 right?

3 A. Yes.

4 Q. Okay. First of all, would a stab  
5 wound to the arm in that area cause that type of  
6 bruising?

7 A. It can cause bruising usually around  
8 the wound.

9 Q. Okay. But nothing like this in 52-E?

10 A. No. I don't think that this type of  
11 wound would cause that type of injury.

12 Q. Okay. And, again, 52-G shows the arm.

13 Do you see this blood here? Is that more injury?

14 A. That's blood from her wound up here.

15 This was taken in the ICU, and this is just dried blood.

16 As I said, when she first came in, she had a lot of dried  
17 blood all over her. This is not indicative of the  
18 injury. This is dried blood from the injury from her  
19 arm.

20 Q. Okay. So that's just dried blood left  
21 on her arm; is that right?

22 A. That's correct.

23 Q. Do you see anywhere in State's  
24 Exhibits 52-F, 52-H, 52-G, any evidence of the injury  
25 that would cause the bruising that you see here in 52-E?

Sandra M. Halsey, CSR, Official Court Reporter  
771

1 A. No -- excuse me. No, I don't see any  
2 evidence here that would show what caused that.

3 Q. Okay. And again, you thoroughly  
4 checked her stay in the hospital; is that right?

5 A. We checked her very carefully when she  
6 was in the operating room. That was our best chance to  
7 do that while she was under the anesthetic. And then we  
8 had the nurses do dressing changes on her afterwards.

9 Q. Okay. And before she leaves, you,  
10 yourself and the other residents checked her; is that

11 right?

12 A. I went and talked to her. I did not  
13 examine all the wounds the day she left.

14 Q. Okay. But you never saw this type of  
15 injury?

16 A. No, I did not.

17 Q. And have you looked at the nurses'  
18 notes and other medical records regarding Ms. Routier?

19 A. Yes.

20 Q. Would the nurse make notes of that if  
21 they saw any type of injuries?

22 A. Yes. That's part of their duties, is  
23 to find injuries that we may have missed. And certainly  
24 something like this would be something I would expect the  
25 nurses to point out to me or to the other doctors before  
Sandra M. Halsey, CSR, Official Court Reporter

772

1 we sent her home.

2 Q. So you didn't see this injury at all?

3 A. No, I did not.

4 Q. And you say by looking at these  
5 photographs, this type of bruising looks like something  
6 that occurred in the last 24 to 48 hours?

7 A. Correct.

8 Q. Not a four-day old bruise at all; is  
9 that right?

10 A. Not in my opinion.

11 Q. Okay. So, if we can kind of look at  
12 this photograph being taken on the 10th day of June,  
13 would you say this injury did not occur on the 6th of  
14 June --

15

16 MR. JOHN HAGLER: I'm going to object  
17 to leading and repetitious.

18 THE COURT: Overruled. Go ahead.

19 THE WITNESS: Would you repeat the  
20 question, please?

21

22 BY MR. TOBY SHOOK:

23 Q. If we assume that this photograph here  
24 in 52-E was taken on the 6th day of June, of 1996, is  
25 there any way that bruising could have occurred -- that  
Sandra M. Halsey, CSR, Official Court Reporter

773

1 injury that caused this bruising occurred at 2:30 in the  
2 morning on June 6, 1996?

3 A. I don't believe so.

4 Q. Okay. All right. Let me show you

5 what's been marked State's Exhibit 52-J. Again, is that  
6 a photograph of Darlie Routier?

7 A. Yes, sir.

8

9 THE COURT REPORTER: We have a J and K  
10 already.

11 MR. TOBY L. SHOOK: I'll mark it 52-M.

12

13 (Whereupon, the following

14 mentioned item was

15 marked for

16 identification only

17 as State's Exhibit 52-M,

18 After which time the

19 proceedings were

20 resumed on the record

21 in open court, as

22 follows:)

23

24 BY MR. TOBY L. SHOOK:

25 Q. Again, is 52-M a photograph of Ms.

Sandra M. Halsey, CSR, Official Court Reporter

774

1 Routier and an injury?

2 A. Yes.

3

4 MR. TOBY L. SHOOK: We'll offer

5 State's Exhibit 52-M.

6 MR. RICHARD C. MOSTY: No objection,

7 your Honor.

8 THE COURT: State's Exhibit 52-M is

9 admitted.

10

11 (Whereupon, the item

12 Heretofore mentioned

13 Was received in evidence

14 As State's Exhibit No. 52-M

15 For all purposes,

16 After which time, the

17 Proceedings were resumed

18 As follows:)

19

20 BY MR. TOBY L. SHOOK:

21 Q. Okay. Again, can you -- 52-M, is that

22 a photograph of bruising there to the left arm?

23 A. Yes. It shows some bruising to the

24 left arm around the wrist area extending down toward her

25 elbow.

Sandra M. Halsey, CSR, Official Court Reporter  
775

1 Q. Again, Doctor, if you could start  
2 maybe down at this end. You can come on down.

3 A. Okay.

4

5 (Whereupon, the witness  
6 stepped down from the  
7 witness stand, and  
8 approached the jury rail  
9 and the proceedings were  
10 resumed as follows:)

11

12

13 (Whereupon, the following  
14 mentioned item was  
15 marked for  
16 identification only  
17 as State's Exhibit 52-N,  
18 after which time the  
19 proceedings were  
20 resumed on the record  
21 in open court, as  
22 follows:)

23

24 BY MR. TOBY L. SHOOK:

25 Q. And again, Doctor, is 52-N a closer up  
Sandra M. Halsey, CSR, Official Court Reporter  
776

1 photograph of that wound?

2 A. Yes.

3

4 MR. TOBY L. SHOOK: We'll offer  
5 State's 52-N.

6 MR. RICHARD C. MOSTY: No objection,  
7 Your Honor.

8 THE COURT: State's Exhibit 52-N is  
9 admitted.

10

11 (Whereupon, the item  
12 Heretofore mentioned  
13 Was received in evidence  
14 As State's Exhibit No. 52-N  
15 For all purposes,  
16 After which time, the  
17 Proceedings were resumed  
18 As follows:)

19

20 BY MR. TOBY L. SHOOK:

21 Q. Doctor, the bruising we see here on  
22 the left side, is that the same type of blunt trauma  
23 injury that we saw to the right arm?

24 A. It appears to be. All I can tell is  
25 that there's some bruising there. I'm not sure what  
Sandra M. Halsey, CSR, Official Court Reporter  
777

1 caused that. You can see a little closer here than you  
2 could on the other one.

3 Q. Okay. Anyway -- did that look like a  
4 fresh bruise or could you tell on that particular end?

5 A. On this one it's hard to tell. Most  
6 of this -- this ecchymotic bruise is smaller than the one  
7 on the other arm. And it's hard to tell whether the  
8 edges are fresh or not. On this photograph it's hard to  
9 tell how old it is, but it's at least 48 hours old.

10 Q. Now, the injury that we see here on  
11 52-E, the right arm, you've treated people that you see  
12 bruising if they've been grabbed hard or something like  
13 that; is that right?

14 A. Correct.

15 Q. Okay. Maybe a man grabs a woman and  
16 pulls her around. Will that leave bruising?

17 A. Yes, it can.

18 Q. What type of bruising is that?

19 A. It depends if he grabs her with his  
20 bare hands and grabs her on the forearm, he can leave the  
21 imprint of his fingers and his thumb on the forearm.

22 Q. Okay. Did you -- as far as the injury  
23 to the right arm, is that that type of bruising?

24 A. No. The bruising that you showed me  
25 in those photographs on her arm appears to be more of a  
Sandra M. Halsey, CSR, Official Court Reporter  
778

1 deep bruise.

2 Q. Again, what we call blunt trauma,  
3 something striking the arm?

4 A. Very possible.

5 Q. Okay. Thank you. You can have a seat  
6 up there.

7

8 (Whereupon, the witness

9 Resumed the witness

10 Stand, and the

11 Proceedings were resumed

12 On the record, as

13 Follows:)

14

15 BY MR. TOBY L. SHOOK:

16 Q. Doctor, would an IV, in any way, cause

17 a bruise like that?

18 A. I don't believe an IV would cause

19 bruising like that, no.

20 Q. That's blunt trauma?

21 A. Yes, it appears to be.

22

23 MR. TOBY L. SHOOK: That's all the

24 questions I have. I'll pass the witness.

25 THE COURT: Mr. Douglass.

Sandra M. Halsey, CSR, Official Court Reporter

779

1 MR. PRESTON DOUGLAS: Thank you.

2

3 CROSS EXAMINATION

4

5 BY MR. PRESTON DOUGLASS:

6 Q. Doctor, in terms of Ms. Routier and

7 how she acted while she was under your care, obviously

8 you have other patients, you weren't able to be with her

9 the entire time?

10 A. That's correct.

11 Q. And how many times do you think

12 between, say, the 6th and when she was discharged that

13 you went and checked on her?

14 A. Three times, once each day.

15 Q. All right. And in -- contrary to you

16 going by three times, she would have been under the care

17 of nurses throughout the time; is that right?

18 A. Correct.

19 Q. And would you agree that those nurses,

20 in some instances, would have had better opportunity in

21 some cases to view how she's doing, how she's feeling

22 emotionally?

23 A. Yes.

24 Q. Okay.

25

Sandra M. Halsey, CSR, Official Court Reporter

780

1 MR. PRESTON DOUGLASS: May I approach

2 the witness, your Honor?

3 THE COURT: You may.

4

5 BY MR. PRESTON DOUGLASS:

6 Q. Doctor, if you would refer to your

7 notes. First, there's the admitting history and physical

8 sheet, it should be toward the first part of your record  
9 which has a drawing.

10 A. Right.

11 Q. Okay. And under "general," can you  
12 read what was noted by the nurse and signed off on by  
13 you?

14 A. Yes.

15 Q. Do you see where that says "general"?

16 A. Okay. If I may correct you, that's  
17 not signed by the nurse, that's signed by my resident.

18 Q. Okay.

19 A. Under "general," it says, "Young,  
20 W --" what stands for young white female, "tearful,  
21 frightened."

22 Q. So when she first came in, she was  
23 noted to be frightened and noted to be crying some; is  
24 that right? Tearful?

25 A. Yes.

Sandra M. Halsey, CSR, Official Court Reporter  
781

1 Q. And then further back, look at June  
2 6th.

3 A. The admitting -- the nurse's notes?

4 Q. Right, going back to the admitting  
5 nurse's notes? It should be earlier in the time line.

6 My copy is bad, but I'm guessing that that time is before  
7 5:15 in the morning.

8 A. I'm sorry, is that the ICU or the  
9 emergency --

10 Q. Look at the focus notes on June 6,  
11 1996, prior to 5:15 in the morning.

12 A. Okay. On the 6th, you say?

13 Q. Yes sir. If I could show you. That's  
14 the admitting nurse?

15 A. Yes.

16 Q. And then I'm showing a date of June  
17 6th, 1996, admitting nurse. And what I'm showing you,  
18 does this appear to be a copy of the records that you  
19 have?

20 A. Yes, they are.

21 Q. And you see where I have highlighted,  
22 for your convenience, some nurse's notes?

23 A. Yes, I do.

24 Q. Can you read who signed that?

25 A. I'm sorry, I can't read that name.

Sandra M. Halsey, CSR, Official Court Reporter  
782

1 It's followed by RN, by abbreviation, it's one of our  
2 nurses, but I don't know what the name on it is.

3 Q. Is this admitting nurse?

4 A. Well, not necessarily the admitting  
5 nurse, it just means that's the person who admitted them,  
6 yes.

7 Q. All right. And what notation is made  
8 there?

9 A. You have highlighted it says, "Crying,  
10 visibly upset."

11 Q. Okay. And then later in the same day,  
12 at 7:30, psychosocial. There's a note for psychosocial;  
13 is that correct?

14 A. Correct, yes.

15 Q. And that's meant specifically to  
16 address her emotional state; is that right?

17 A. Correct, yes.

18 Q. And am I right -- did you find that in  
19 the notes?

20 A. I found it.

21 Q. Look --

22 A. Okay.

23 Q. Does it say "the patient is very  
24 emotional"?

25 A. Yes.

Sandra M. Halsey, CSR, Official Court Reporter  
783

1 Q. There are periods of crying, sobbing,  
2 talking about events and her family?

3 A. Yes, that's what it says.

4 Q. Okay. All right. So, when you said  
5 to the jury that you were surprised that she had a flat  
6 affect, then obviously there are nurses that did not see  
7 what you saw, but saw a very crying, emotionally upset  
8 woman and made psychosocial notes because they thought it  
9 was significant enough that a reviewing doctor should  
10 look at?

11 A. Correct.

12 Q. Did you look at these notes?

13 A. No.

14 Q. Well, you were her attending  
15 physician; is that correct?

16 A. Yes.

17 Q. So if you're trying to make -- if  
18 you're trying to make a determination as to how she is  
19 progressing, there are nurses writing notes to you that  
20 are telling you, "She's visibly upset, she's crying, and  
21 she's emotional about the events she just went through;"  
22 is that right?

23 A. They're not writing notes to me, those  
24 are the nurse's notes.  
25 Q. Those are the nurse's notes that are  
Sandra M. Halsey, CSR, Official Court Reporter  
784

1 telling you, "She's visibly upset, she's crying."

2 A. Usually the nurse will give me a  
3 verbal review.

4 Q. The point being, it's a history that's  
5 being made for the benefit of whoever it is, in this case  
6 obviously not intended for a jury, but from these notes  
7 at the time they were made, how this lady was acting; is  
8 that right?

9 A. Yes.

10 Q. And is it safe to say that there is  
11 notes that throughout the day on the 6th, she was visibly  
12 upset; is that right?

13 A. Those two notes, yes, sir.

14 Q. Okay. Well, first there was the  
15 admitting note that said she was tearful and said she was  
16 scared; is that right -- or frightened, I'm sorry?

17 A. Correct.

18 Q. All right. So first she's scared, and  
19 then there's notes early in the morning that says she's  
20 visibly upset and emotional, and then there's another  
21 note. And these are all noted by nurses who are paid  
22 and --

23 A. Yes.

24 Q. Okay. Look on the next page.

25 A. Where the notes --  
Sandra M. Halsey, CSR, Official Court Reporter  
785

1 Q. Okay. Let's see. Do you remember,  
2 Doctor, prescribing Ms. Routier Xanax?

3 A. Yes.

4 Q. Now I can't find that in here, but you  
5 remember -- you do remember calling that in. Right?

6 A. I didn't call it in. I wrote it on  
7 her discharge orders. I added it to -- Dr. Dillawn on  
8 her discharge orders, and when I came by and spoke with  
9 her and her husband, they requested that and I went ahead  
10 and ordered that. So, it's on my discharge orders.

11 Q. Okay. Well, was she given Xanax  
12 before the discharge?

13 A. I believe it was ordered by one of the  
14 other physicians. We can look in the --

15 Q. All right. Well, let me just show  
16 you. Later on the same day, on the 6th, which looks like

17 16:45, so towards four or five o'clock in the afternoon;  
18 is that right?

19 A. Correct.

20 Q. Okay. Can you find where it's noted

21 anxiety?

22 A. Correct.

23 Q. All right. And she was given 25

24 milligrams or .25? She'd be out if it were 25

25 milligrams. Right?

Sandra M. Halsey, CSR, Official Court Reporter

786

1 A. Well, it should be .25 --

2 Q. Okay. Point 25 milligrams of Xanax

3 given to decrease -- is that an arrow going down?

4 A. Correct. To decrease anxiety.

5 Q. The point of that is to decrease

6 anxiety. Right?

7 A. Yes.

8 Q. And it says that the patient, Ms.

9 Routier, is unable to relax; is that right?

10 A. Yes.

11 Q. Okay. Now, there's lots of notes --

12 you would agree that these injuries that she received are

13 painful injuries; is that right?

14 A. Yes.

15 Q. And you see there's lots of notes

16 where they're -- the nurse that is treating her notes,

17 pain and actions taken to lessen and care for the pain

18 that she was experiencing; is that right?

19 A. Yes.

20 Q. Okay. For instance, the wound to her

21 arm, on the left side; is that right?

22 A. Yes --

23 Q. The right side.

24 A. Right forearm.

25 Q. The right arm went down to the bone;

Sandra M. Halsey, CSR, Official Court Reporter

787

1 is that right?

2 A. It did not injure the bone, it went

3 through the muscle.

4 Q. All right. In your records, I believe

5 it says it went to the bone. I'm not meaning to say it

6 struck the bone, but it did say it went to the bone?

7 A. I did not write that. It may be in

8 there, but it's hard to tell.

9 Q. You're not quarreling with that, are

10 you?

11 A. No.

12 Q. And certainly you would expect that to  
13 be a very painful injury. Right?

14 A. Yes.

15 Q. And now, in talking, when you first --

16 let's back up to the beginning. When you first saw Mrs.

17 Routier, there was no question, and in your admitting --

18 well, actually it's in your discharge summary. Do you

19 see that?

20 A. Let me find it.

21 Q. Okay.

22 A. I found it.

23 Q. In your discharge summary, you noted

24 that Ms. Routier had a large, what you described as a

25 slash wound; is that right?

Sandra M. Halsey, CSR, Official Court Reporter

788

1 A. Correct. This is a discharge summary

2 dictated by Dr. Dillawn, which I signed. Yes, it says

3 she has a large slash wound.

4 Q. All right. Well, you signed it. You

5 approved it; is that right?

6 A. Yes.

7 Q. And you described, or Dr. Dillawn

8 described and you approved his description, that she was

9 actively bleeding from a large slash wound?

10 A. Correct.

11 Q. Now, that was the first scene that any

12 doctor saw was an actively bleeding woman who had

13 obviously lost a large amount of blood on the front of

14 her shirt; is that right?

15 A. Correct.

16 Q. Now, you also gave her, either on

17 discharge or upon when you admitted her, I don't know

18 exactly where it is, but you gave her a diagnosis of post

19 trauma anemia; is that right?

20 A. Yes.

21 Q. Now, post trauma anemia would be from

22 a severe loss of blood; is that correct?

23 A. Correct. Any loss of blood, that will

24 make your numbers go down. Medically that's defined by

25 certain parameters, and if your blood count -- your

Sandra M. Halsey, CSR, Official Court Reporter

789

1 hematocrit specifically is below normal, then you're by

2 definition anemic.

3 Q. All right. But in any event, what you

4 described it was -- and I can't say the word, it's post

5 hemorr --  
6 A. Hemorrhage.  
7 Q. Well --  
8 A. It's post hemorrhagic.  
9 Q. Right. Hemorrhagic anemia. Right?  
10 A. Close, yes.  
11 Q. So I try to say it post trauma.  
12 Right? Same thing?  
13 A. Well, post hemorrhagic just means she  
14 bled, that's why her blood count is low. Post trauma  
15 doesn't necessarily mean she bled. You can bleed  
16 internally, et cetera, et cetera. But post  
17 hemorrhagically -- post hemorrhagically anemia  
18 specifically means you're anemic from loss of blood.  
19 Q. All right. But in any event you  
20 noticed that that diagnosis was made and that she had to  
21 be looked after because she was suffering from anemia; is  
22 that right?  
23 A. Yes.  
24 Q. Okay. Now, you mentioned in, I guess  
25 it was an operative report, that the wound -- and you're  
Sandra M. Halsey, CSR, Official Court Reporter  
790

1 not -- let me just ask you this: You're not attempting  
2 to give the jury, and I think you were careful to say  
3 that you're not attempting to give the jury any type of  
4 opinion about directionality of the wound, are you?  
5 A. Correct. I cannot --  
6 Q. You have no opinion about that?  
7 A. No, sir.  
8 Q. You have no opinion about  
9 self-inflicted or directionality or anything like that?  
10 A. I cannot tell.  
11 Q. You can't tell?  
12 A. Right.  
13 Q. Is that right?  
14 A. Yes.  
15 Q. And you were the first trained medical  
16 person to look at this woman; is that right? Well, Dr.  
17 Dillawn and the paramedics?  
18 A. Right.  
19 Q. But the first person to treat her and  
20 look at her closely, that was you. Right?  
21 A. Yes.  
22 Q. All right. Now, when you referred to  
23 the midline, you were referring to the center, am I  
24 right, of her neck?  
25 A. Right, the center of her neck.

1 Q. All right. And if I remember right,  
2 your notes say that the wound was higher to the right  
3 side of her neck and that it was deepest on the lowest,  
4 or the left side of wound?

5 A. I'll have to look on the notes.

6 Q. Please. I could be mistaken.

7 A. Were you talking about in the  
8 operative records? That's what I'm looking at now. I'm  
9 not sure if there was any mention of if it was deeper on  
10 one side. I don't recall anyone saying it was deeper on  
11 one end or the other. I don't see it on the operative  
12 record, was it somewhere else?

13 Q. Okay. Well, in your recollection, was  
14 the wound deeper at one point?

15 A. Well, it was a little deeper, if I  
16 recollect correctly, on the right side.

17 Q. Okay. And you said that the wound  
18 penetrated the platysma muscle; is that right?

19 A. Yes.

20 Q. And in the operative record, it says  
21 at one point the laceration appeared to extend to, but  
22 not through the carotid sheath which covers the carotid  
23 artery; is that right?

24 A. Correct.

25 Q. Now, the carotid sheath, Doctor, would  
Sandra M. Halsey, CSR, Official Court Reporter  
792

1 that be a membrane, or how would you describe it?

2 A. Its connective tissue, sort of a  
3 membrane, yes. That would be the best way --

4 Q. Is it thin?

5 A. Compared to --

6 Q. How many millimeters?

7 A. It's probably two to three  
8 millimeters, which is pretty small.

9 Q. And is it true that this wound, at  
10 that point, to the carotid sheath came within two  
11 millimeters of the carotid sheath (sic)?

12

13 MR. RICHARD C. MOSTY: The artery?

14 MR. PRESTON DOUGLASS: Sheath. I'm  
15 asking about the sheath first.

16 THE WITNESS: You said it's an injury  
17 to carotid sheath?

18

19 BY MR. PRESTON DOUGLASS:

20 Q. On the records it says it came to the  
21 carotid sheath.

22 A. Right.

23 Q. Now, the carotid sheath is 2 or 3  
24 millimeters thick; is that right?

25 A. Correct.

Sandra M. Halsey, CSR, Official Court Reporter  
793

1 Q. Okay. So it came within 2 millimeters  
2 of the carotid artery?

3 A. Correct.

4 Q. Okay. Now, inside the sheath is the  
5 internal jugular vein as well as the artery; is that  
6 right?

7 A. Carotid artery, correct.

8 Q. All right. Now, when you said to Mrs.

9 Routier "You're very lucky" -- I'm going to see if I can  
10 try something. I may not be able to demonstrate this,  
11 but I want to show how lucky she was. This is, it seems  
12 to be a common ruler; is that right?

13 A. Yes.

14 Q. And it's got inches on one side, it's  
15 got centimeters on one side; is that correct?

16 A. That's correct.

17 Q. Now, the centimeters don't start at  
18 the blunt end of the ruler.

19 A. Right.

20 Q. But am I right that this will be 2  
21 millimeters?

22 A. Yes.

23 Q. Okay. So, if I understand your  
24 testimony that it's 2 millimeters from nicking the  
25 carotid artery; is that right?

Sandra M. Halsey, CSR, Official Court Reporter  
794

1 A. Yes.

2 Q. Or the internal jugular vein?

3 A. Yes. Actually closer to the carotid  
4 artery because they lay side by side.

5 Q. Okay.

6 A. Closer to the carotid artery.

7 Q. Well, I'm not very adept at  
8 demonstrating this, but anybody can look and see that  
9 these two lines are what it would take to hit the carotid  
10 artery.

11 Now, if a carotid artery is severed,  
12 Doctor, what happens?

13 A. You bleed profusely.

14 Q. Is that often, if not fatal, certainly  
15 fatal?

16 A. If it is not controlled immediately,  
17 yes, it can be fatal.

18 Q. And when you say immediately, you're  
19 talking right then. Right?

20 A. Within minutes.

21 Q. So when you told Mrs. Routier that  
22 she's a very lucky lady, what's represented is just these  
23 infinitesimal two lines are what you declare the  
24 difference between superficial and a fatal injury?

25 A. No. I mean, we don't differentiate  
Sandra M. Halsey, CSR, Official Court Reporter  
795

1 between superficial and fatal. There's superficial and  
2 deep.

3 Q. Are these two lines away from  
4 potentially fatal?

5 A. Yes.

6 Q. Two millimeters?

7 A. Yes.

8 Q. Okay. So, if this knife had traveled  
9 two millimeters more, and immediate attention -- when you  
10 say immediate, I mean, what are you talking about in  
11 time?

12 A. Two or three minutes.

13 Q. So without any immediate care in three  
14 minutes, she's dead?

15 A. Correct.

16 Q. Now, when you saw her at the hospital,  
17 you did not scrub for the surgery; is that right?

18 A. No.

19 Q. And you had made a determination that  
20 Dr. Dillawn could handle it?

21 A. Well, actually Dr. Lee, who was the  
22 chief surgery resident, was doing the surgery, Dr.  
23 Dillawn was assisting him.

24 Q. And you applied pressure and you  
25 stopped the bleeding by applying pressure to her neck?

Sandra M. Halsey, CSR, Official Court Reporter  
796

1 A. Yes, I did.

2 Q. That's what you did?

3 A. Yes, I did.

4 Q. Okay. Now, I want to talk about your  
5 termination of a slash. You've seen, I'm sure, a number  
6 of injuries to the neck by a sharp-edged instrument; is  
7 that right?

8 A. Yes.

9 Q. And is it safe to say -- and you're  
10 familiar with the term incised wound, obviously?

11 A. Correct.

12 Q. An incised wound is a wound that  
13 stretches longer in length than it is deep. And is it  
14 typical that if someone is going to inflict the maximum  
15 amount of damage to the area of the throat, it'll be done  
16 in a slashing motion in an attempt to cut the jugular  
17 vein and the carotid artery?

18 A. Correct.

19 Q. So, when you see wounds to the neck,  
20 you don't expect, really, a straight on deal, straight-on  
21 type, what you expect is a slashing motion; is that  
22 right?

23 A. I would say that's more typical on a  
24 neck wound, yes.

25 Q. Okay. Now, when you say more typical,  
Sandra M. Halsey, CSR, Official Court Reporter  
797

1 you're a careful doctor, I understand that. I've  
2 listened to you testify and you're familiar with the  
3 terms reasonable medical probability; is that right?

4 A. Yes.

5 Q. Now, can you define that for the jury?

6 A. Once you look at whatever evidence you  
7 have, or clinical evidence you have, you make a decision  
8 whether something, an event or an occurrence, in your  
9 opinion, would be medically probable when you weigh it  
10 against all the evidence. It doesn't mean it necessarily  
11 happened that way, but that more likely that that's what  
12 happened, or that's what would happen.

13 Q. Okay. Now, it wasn't asked of you,  
14 but have you couched your opinions based on a reasonable  
15 medical probability?

16 A. I'm not sure I understand your  
17 question.

18 Q. Well, there are things a doctor can  
19 say that are consistent with something, or expected, or  
20 maybe my opinion, but that doesn't necessarily mean it's  
21 to a reasonable medical probability. Do you appreciate  
22 what I'm saying?

23 A. I think it's a fine line, but yes, I  
24 appreciate what you're saying.

25 Q. Okay. So what it means is, a  
Sandra M. Halsey, CSR, Official Court Reporter  
798

1 reasonable medical probability is the level of convincing  
2 that a doctor has, and sometimes you can give an opinion,  
3 but you're not willing to say, I'm going to say that to a  
4 reasonable medical probability; is that right?

5 A. Correct.

6 Q. Okay. Now, the operative record, and  
7 I think what you testified to, was an hour and 15 minutes  
8 that Ms. Routier was under general anesthetic; is that  
9 right?

10 A. Well, I didn't -- I'll be glad to look  
11 on the anesthesia record as to how long she had  
12 anesthesia on board. What I was looking at earlier, when  
13 they asked me, was the time we actually began the  
14 operation, neck, arm and shoulder, that went from 3:50 to  
15 4:49.

16 Q. All right. Well, let me ask you,  
17 Doctor, maybe in the discharge record, you made -- you  
18 used the term, in the discharge summary, that she was  
19 emergently taken for neck exploration. I'm assuming that  
20 emergently means with all haste?

21 A. Correct. Yes, sir.

22 Q. Okay. And if you make an immediate  
23 decision that a person has to have surgery, I'm assuming  
24 that anesthesia would be administered to the patient as  
25 soon as possible upon arrival to the emergency room -- I  
Sandra M. Halsey, CSR, Official Court Reporter  
799

1 mean, operating room?

2 A. Correct.

3 Q. No reason to think that she would have  
4 sat in there 20 minutes before she would have been  
5 administered anesthesia.

6 A. That's right, there's no reason to  
7 think that.

8 Q. Well, based on your usual custom and  
9 happening of the O.R., on someone who is emergently  
10 brought into the O.R., would you think that perhaps they  
11 were administered anesthesia as little as five minutes  
12 after they arrived?

13 A. Probably even less than that.

14 Q. Okay. So, when you say it's an hour  
15 and 15 minutes that the person was under surgery, is it  
16 safe to say that for sure an hour and 10 minutes of that  
17 she was under general anesthetic?

18 A. Yes.

19 Q. All right. Now, I believe your  
20 testimony was that you would expect a person to be under  
21 the affects of general anesthesia for up to two hours.

22 A. Two to three hours, yes.

23 Q. Two to three hours.

24 A. Yes.

25 Q. And that she was -- what time do you  
Sandra M. Halsey, CSR, Official Court Reporter  
800

1 recall that the surgery ended and that she was brought  
2 out of surgery?

3 A. Well, the official time that the  
4 surgical procedure ended was 4:49, as I said. The time  
5 the anesthesia ended was 05:00.

6 Q. Okay. So, she was, in effect,  
7 beginning to come out of the affect -- or let me back up.  
8 There was no additional anesthesia being administered to  
9 her at five in the morning?

10 A. Correct. That's when it stopped.

11 Q. Okay. So at that point the  
12 anesthetist says that's it, and she should begin that  
13 three hour process of coming out of the anesthesia; is  
14 that right?

15 A. Correct.

16 Q. Now, would you expect that if someone  
17 had talked to her, say at 6:00 in the morning, that she  
18 would be groggy and still under the effects of  
19 anesthesia?

20 A. She may, yes, sir.

21 Q. When you say "may," all people are  
22 different; is that right?

23 A. Correct.

24 Q. Now talking about the anesthesia,  
25 isn't it also true that she was, very soon after coming  
Sandra M. Halsey, CSR, Official Court Reporter  
801

1 out of the operating room, that she was ordered up, or  
2 you ordered up for some Demerol?

3 A. It was ordered in the postoperative  
4 period, I believe by either Dr. Dillawn or one of the  
5 other residents. But I know she did have some Demerol  
6 ordered for pain control, yes, sir.

7 Q. Okay. Now, would that have been  
8 administered to her -- if it's ordered postoperatively,  
9 does that mean, Doctor, that it's administered to her  
10 right away?

11 A. It's usually ordered PRN, which means  
12 whenever necessary. The nurses usually make that  
13 designation. If a patient says, "I'm having pain,"  
14 there's a time limit placed it.

15 We usually will say every three to  
16 four hours. Whenever she gets her first one really

17 depends on the nurse's assessment or evaluation. But it  
18 can be right away.

19 Q. Okay. Do you see anything in the  
20 nurse's notes as to when the first dose of Demerol might  
21 have been administered to Ms. Routier?

22 A. The first thing I see here is a note  
23 from the ICU, 6-6-96, at 06:00, she was given 25  
24 milligrams of Demerol and 25 milligrams of Phenergan IM.

25 Q. Okay. And what's Phenergan?

Sandra M. Halsey, CSR, Official Court Reporter  
802

1 A. Phenergan is an anti-- or medication  
2 that keeps you from being nauseated or vomiting because  
3 the Demerol can make you nauseated.

4 Q. Okay. What all -- can Demerol make  
5 you groggy?

6 A. Yes.

7 Q. Can Demerol cause you to be heavily  
8 sedated? Is that the right word?

9 A. Yes, it would mean the same thing,  
10 groggy, sleepy, drowsy.

11 Q. If a person comes out of general  
12 anesthetic and at 6:00 o'clock they're given Demerol at  
13 that dose that you just indicated, wouldn't that  
14 aggravate the effects of the anesthesia?

15 A. It would obviously depend on the  
16 patient's condition, underlying medical problems, if they  
17 have any. If made -- if they were having trouble getting  
18 rid of the anesthetic effect, however the Demerol dose,  
19 really this is a small dose because she is a small woman.

20 Q. Right. But you're not saying it  
21 couldn't?

22 A. No, it may. It may, yes.

23 Q. Okay. And in that situation, if you  
24 think that she would still, perhaps, experience the  
25 effects of general anesthesia from 5:00 o'clock to up to  
Sandra M. Halsey, CSR, Official Court Reporter

803

1 three hours, which is 8:00 in the morning, certainly,  
2 Doctor, the Demerol administered at 6:00 would either  
3 aggravate that or prolong that; is that right?

4 A. Yes, it could.

5 Q. Okay. So, she could have still been  
6 groggy even past 8:00 o'clock. Is that what you're  
7 trying to say?

8 A. She could, yes.

9 Q. Could general anesthetic, in your  
10 experience, lead to confusion?

11 A. Yes.  
12 Q. Can it lead to disorientation?  
13 A. Yes.  
14 Q. Can it lead to short-term memory loss?  
15 A. Yes, I suppose it could, yes.  
16 Q. Would you agree, Doctor, that to be  
17 questioned sometime before 8:00 in the morning of, let's  
18 say 6:05, hypothetically, to be questioned about very  
19 serious events at 6:05, one hour and five minutes after  
20 anesthesia being cut off, would you be somewhat suspect  
21 as to the response you may receive from a patient?  
22 A. You may get an unreliable response,  
23 yes.  
24 Q. What I mean by that is you may get a  
25 response that's subject to disorientation, memory loss,  
Sandra M. Halsey, CSR, Official Court Reporter  
804

1 confusion, all of those things that you said could be  
2 prevalent with a patient in that situation; is that  
3 right?  
4 A. Well, that could be possible, yes.  
5 Q. Okay. Would you please read for the  
6 jury the 6:05 focus note entry.  
7 A. "06:05, Psych. Social, Rowlett Police  
8 to bedside for questioning."  
9 Q. So, within an hour and five minutes  
10 after she is -- now, she's in ICU, and you put her there  
11 so she would not be put under stress; is that right?  
12 A. Correct.  
13 Q. Well, did you say there weren't  
14 suppose to be any police officers there?  
15 A. No, I said "Do not let the media in."  
16 Q. Well, did it matter to you if people  
17 started, immediately, one hour after surgery start  
18 questioning her? Would you have recommended that?  
19 A. I would not have recommended that, no.  
20 Q. Now, would you also suspect -- or be  
21 suspect of the results you might have received due to the  
22 combination of general anesthesia and Demerol, which she  
23 received five minutes earlier?  
24 A. I'm sorry, would you repeat the  
25 question, please.  
Sandra M. Halsey, CSR, Official Court Reporter  
805

1 Q. Well, am I right -- I don't have my  
2 notes with me. Am I right that she received Demerol at  
3 6:00 o'clock?  
4 A. Yeah, she did.

5 Q. She had just terminated general  
6 anesthetic at 5:00 o'clock?

7 A. Correct.

8 Q. So then one hour and five minutes of  
9 general anesthetic for an hour and 15 minutes, and a  
10 dose of Demerol, and she then is questioned about the  
11 events surrounding this attack. Would that cause you to  
12 be suspect of what she may have said, based on the amount  
13 of medication she's taken?

14 A. It could, yes.

15 Q. Okay. Now, while we're on that  
16 subject, let me talk to you a little bit about trauma.  
17 You've seen numerous people who have been the subjects of  
18 traumatic attacks or traumatic events, maybe automobile  
19 accidents; is that right?

20 A. Yes, I have.

21 Q. Well, let me -- one thing Mr. Glover  
22 mentioned in my ear, when you've talked to mothers about  
23 accidents, many times that denial and that wanting to see  
24 the body and the things you talked about, isn't it true,  
25 Doctor, those are people who did not witness their child  
Sandra M. Halsey, CSR, Official Court Reporter  
806

1 murdered.

2 I mean, that's someone who may have  
3 come up after an accident, didn't see the event that  
4 caused the death of the child?

5 A. Yes, in some cases, yes.

6 Q. Okay. So, when you were saying, "I've  
7 got to explain what happened to some of these parents,"  
8 well certainly you have to explain to parents if they  
9 didn't see what happened. Right?

10 A. Right.

11 Q. All right. And wouldn't you naturally  
12 assume that if -- you would naturally assume that if  
13 someone knew the cause of death of their child that that  
14 may not be something you would have to explain to them?

15 A. You mean in general, I would assume  
16 that?

17 Q. Well, let me go on.

18 A. All right.

19 Q. Talking back about trauma, what we  
20 were talking about earlier, and the fact that you had  
21 seen numerous people who were the events -- the victims  
22 of tragic trauma, either attacks or automobile injuries.  
23 Is it common for people, and I'm not  
24 talking about the anesthetic now, I'm just talking about,  
25 is it common for victims of traumatic attacks to block

1 out and have memory loss as to the event that caused  
2 their accidents, their injuries?

3 A. Well, I would not say it's common, but  
4 it does occur.

5 Q. Well, have you seen it?

6 A. Yes, I have.

7 Q. And you've witnessed it in what is --  
8 in a percentage of your patients such that you say it can  
9 happen?

10 A. Yes.

11 Q. All right. And that could be  
12 traumatic memory loss as to even the cause of an injury;  
13 is that right?

14 A. Yes.

15 Q. It could be memory loss as to not only  
16 the cause, but what the person was doing before the  
17 injury or what the person was doing after the injury; is  
18 that right?

19 A. Yes.

20 Q. All right. In short, Doctor, the mind  
21 has a funny way of tricking a person when they've been  
22 through a traumatic event; is that right?

23 A. Yes.

24 Q. Okay. In fact, what happens is the  
25 mind compensates for the injury; is that right? Is that

1 a term you're familiar with?

2 A. I'm not sure what you mean by  
3 compensates.

4 Q. Well, in effect, it may create -- it  
5 may block out in an effort to -- how am I trying to say  
6 this. A person goes unconscious many times not  
7 necessarily because of the injury, but because of the  
8 shock; is that right?

9 A. That's right.

10 Q. So, in effect, your mind takes over in  
11 a reflex action which protects the body, the person goes  
12 unconscious?

13 A. Right. That can happen.

14 Q. All right. That's what I mean by  
15 compensate.

16 A. Okay.

17 Q. The mind compensates for the injury?

18 A. In that way, yes.

19 Q. Okay. So, it doesn't surprise you

20 that a person that is the victim of a very traumatic  
21 injury or attack would have significant memory loss as  
22 either to the cause of the attack -- is that right,  
23 Doctor, it wouldn't surprise you?  
24 A. Well, I would have to qualify it and  
25 say that most of the times I've seen that has been a  
Sandra M. Halsey, CSR, Official Court Reporter  
809

1 patient with head injuries.

2 Q. But when you say most, that means  
3 there's another significant amount of patients -- you  
4 have seen thousands of patients; is that right?

5 A. Yes.

6 Q. So if most is 60 percent, then that's  
7 600, then there's 400 other people you've seen that have  
8 had other types of reactions; is that right?

9 A. Yes.

10 Q. All right. And those people have had  
11 reactions that may have blocked out their initial  
12 perception of what happened to them and the cause of the  
13 injury; is that right?

14 A. Yes.

15 Q. Okay. Doctor, there's no way that you  
16 can say that Darlie Routier was not unconscious at any  
17 point, is there?

18 A. There's no way I can say -- you mean  
19 during the event?

20 Q. You can't rule out that she lost  
21 consciousness?

22 A. I can't rule it out. Correct.

23

24 THE COURT: All right. Ladies and  
25 gentlemen, I think it's getting on to five o'clock now.

Sandra M. Halsey, CSR, Official Court Reporter  
810

1 MR. TOBY SHOOK: Judge, could we  
2 approach the bench real quickly?

3 THE COURT: Yes.

4

5 (Whereupon, a short  
6 Discussion was held off  
7 The record, at the side  
8 Of the bench, and  
9 Outside the hearing of  
10 The jury, after which  
11 Time the proceedings  
12 Were resumed on the  
13 Record as follows:)

14

15 THE COURT: All right. I have been  
16 told that we are near the end, so we'll just stay.

17 MR. PRESTON DOUGLASS: Could I have  
18 about 2 -- we'll about a 10 minute recess, your Honor?

19 THE COURT: Ten minute recess.

20 MR. PRESTON DOUGLASS: What about 5?

21 THE COURT: All right. A 5 minute  
22 recess.

23 MR. RICHARD C. MOSTY: Are you going  
24 to let the jury have a recess too?

25 THE COURT: Well, I guess we will. If  
Sandra M. Halsey, CSR, Official Court Reporter  
811

1 you will step in the jury room briefly, please. We'll  
2 proceed shortly.

3

4 (Whereupon, a short

5 Recess was taken,

6 After which time,

7 The proceedings were

8 Resumed on the record,

9 Outside the presence and

10 Hearing of the defendant

11 And the jury, as follows:)

12

13 THE COURT: All right, bring the jury

14 back in, please.

15

16 (Whereupon, the jury

17 was returned to the

18 courtroom, and the

19 proceedings were

20 resumed on the record,

21 in open court, in the

22 presence and hearing

23 of the defendant,

24 as follows:)

25

Sandra M. Halsey, CSR, Official Court Reporter  
812

1 THE COURT: Let the record reflect  
2 that all parties in the trial are present and the jury is  
3 seated.

4 All right, Mr. Douglass.

5

6

7 CROSS EXAMINATION (RESUMED)

8

9 BY MR. PRESTON DOUGLASS:

10 Q. All right. Dr. Santos, with respect  
11 to the bruises, there was one bruise you noted that said  
12 could be greater than two days old; is that correct?

13 A. That's correct.

14 Q. It could be up to four days old; is  
15 that correct?

16 A. Anywhere greater than two days, yes.

17 Q. It could have been four days old?

18 A. Could have been.

19 Q. And wouldn't it be highly unlikely  
20 that you would get a blunt trauma injury that could be  
21 four days old on one arm and not get it at the same time  
22 as the other injuries?

23 A. I would think it would be unlikely.

24 Q. So this one could be four days old.

25 This one it is likely, was created at the same time; is  
Sandra M. Halsey, CSR, Official Court Reporter

813

1 that right?

2 A. But it doesn't look as old as the  
3 other one.

4 Q. Well, that's your opinion?

5 A. Yes.

6 Q. And reasonable minds can differ; is  
7 that right?

8 A. Correct.

9 Q. And you're not saying a reasonable  
10 medical probability that's your answer, that's just  
11 saying that's what it seemed like?

12 A. Correct.

13 Q. But likewise, it's your same opinion  
14 that this one is two days old and you just told the jury  
15 it could be four days old?

16 A. Correct.

17 Q. All right. Now, talking about bruises  
18 and things, what everyone was dealing with, and what the  
19 notes refer to are wounds to the neck, a severe -- well,  
20 a slash wound, a large slash wound to the neck, not to  
21 use other words.

22 A. Yes.

23 Q. And all of the records of the nurses  
24 that you see in the records seemed to be focused upon and  
25 dealing with how that neck wound -- and also the arm

Sandra M. Halsey, CSR, Official Court Reporter

814

1 wound are coming along; is that right?

2 A. Correct.

3 Q. All right. It doesn't say anywhere

4 how her feet are doing, her knees are, her legs, there's

5 just no reference that they're fine either, is there?

6 A. Correct.

7 Q. I mean, there's nowhere to say, we

8 didn't notice anything to an arm, or we didn't notice

9 anything to a leg?

10 A. Well, but the focus notes, by

11 definition, are suppose to point out abnormalities, not

12 comment on the norm.

13 Q. Okay. I understand that. But isn't

14 it also true that with everybody busy and a number of

15 patients, and in fairness to just the way things go, that

16 there is things that are missed occasionally; is that

17 right?

18 A. Yes.

19 Q. Okay. Was Ms. Routier cooperative

20 with you?

21 A. Yes.

22 Q. Did she seem to appreciate what you

23 did for her?

24 A. Yes. When I first spoke to her, yes,

25 she did.

Sandra M. Halsey, CSR, Official Court Reporter

815

1 Q. She was anxious to get to the funeral;

2 is that right?

3 A. Well, her husband was anxious.

4 Q. Well --

5 A. I don't know if she was.

6 Q. And families want to be together

7 during times of grief, you know that?

8 A. Certainly.

9 Q. Is there any question in your mind

10 that a person with a flat affect, that can be synonymous

11 with depressed, could it not, Doctor?

12 A. It could, yes.

13 Q. Flat affect is a term of art, it means

14 just kind of stone-faced; is that right?

15 A. Correct.

16 Q. And a stone-faced person could be a

17 person you would not rule out as deeply depressed and

18 grieving?

19 A. Correct. You cannot rule that out.

20 Q. So the fact that someone has a flat

21 affect that person -- I mean, you can't make any

22 extrapolation from that, can you?

23 A. Right. You cannot.

24

25 MR. PRESTON DOUGLASS: Pass the  
Sandra M. Halsey, CSR, Official Court Reporter  
816

1 witness, your Honor.

2 MR. TOBY L. SHOOK: Just a couple of  
3 questions, Judge.

4 THE COURT: Yes.

5

6

7 REDIRECT EXAMINATION

8

9 BY MR. TOBY L. SHOOK:

10 Q. As far as the two bruises, the one on  
11 the left, you say that might be a little older; is that  
12 right?

13 A. Correct.

14 Q. But this bruise on the right, the one  
15 we've talked at some length about, that is, in your  
16 opinion, 24 to 48 hours?

17 A. Correct.

18 Q. Okay. And again, would you or the  
19 nurses spotted this type of trauma if it had occurred on  
20 2:30 in the morning, June 6, 1996?

21 A. Yes, I believe we would.

22 Q. You never saw that type of injury on  
23 her right arm, did you?

24 A. No, I did not.

25 Q. And as far as the nurse's notes go,  
Sandra M. Halsey, CSR, Official Court Reporter  
817

1 those are focus notes that focus on what?

2 A. On things out of the abnormal, not on  
3 normal.

4 Q. These nurses in ICU are very thorough,  
5 aren't they?

6 A. Yes.

7 Q. They check for injuries and how the  
8 patient is doing; is that right?

9 A. Yes. That's their job.

10 Q. Okay. Now, as far as Demerol, what is  
11 Demerol?

12 A. Demerol is a narcotic that's  
13 administered usually for pain relief.

14 Q. Okay. And you said that she was given  
15 that around 6:00 a.m., I think, or so?

16 A. Yes, sir, 6:00 o'clock, yes, sir.

17 Q. The first time she was given that was  
18 on June the 6th?  
19 A. Correct.  
20 Q. And how much was she given?  
21 A. 25 milligrams.  
22 Q. Okay. Is that a large or small dose?  
23 A. I would say on the average it's a  
24 medium dose.  
25 Q. Okay. And did the nurse administer  
Sandra M. Halsey, CSR, Official Court Reporter  
818

1 that?  
2 A. Yes. The nurses administer all of the  
3 medications in the ICU.  
4 Q. They're trained in that; is that  
5 right?  
6 A. Yes, they are.  
7 Q. Now, Mr. Douglass has asked you a  
8 number of questions about whether a person would be  
9 groggy waking up from the anesthesia and also getting  
10 some Demerol. And you said, "Could be, maybe;" is that  
11 right?  
12 A. Correct.  
13 Q. Does it just depend on the person?  
14 A. It depends on specific -- how your  
15 metabolism will process medication, if you're ill, older,  
16 et cetera, et cetera.  
17 Q. Some people might be groggy and some  
18 people might be very alert?  
19 A. Correct.  
20 Q. It just goes person by person basis;  
21 is that right?  
22 A. Yes.  
23 Q. Okay. Now, you didn't see her there  
24 at 6:00 a.m., did you?  
25 A. No, I did not.  
Sandra M. Halsey, CSR, Official Court Reporter  
819

1 Q. You didn't come until sometime later  
2 in the morning or so?  
3 A. Approximately, I think it was in the  
4 afternoon actually when I saw her.  
5 Q. Okay. And when you saw her, she had  
6 what you call flat affect?  
7 A. Correct.  
8 Q. Okay. But you didn't feel she was  
9 suffering from grogginess from drugs or anything, did  
10 you?

11 A. No, I did not.  
12 Q. Okay. You've seen that many times  
13 before?  
14 A. Yes.  
15 Q. All right. Now, as far as memory loss  
16 goes, you say you have seen people that have had some  
17 trauma that had memory loss?  
18 A. Yes.  
19 Q. And usually what type of trauma do  
20 they have?  
21 A. Usually it's the motor vehicle  
22 collisions where they have a closed head injury.  
23 Q. Okay. They smash their head real  
24 hard?  
25 A. Correct.  
Sandra M. Halsey, CSR, Official Court Reporter  
820

1 Q. Okay. And what type of memory loss do  
2 they have?  
3 A. Usually what's called retrograde  
4 amnesia, where they don't remember something that's  
5 already happened. Usually they're in the hospital, in  
6 the ICU or emergency room and they have no idea how they  
7 got there. They were driving home and now they're here.  
8 They have retrograde amnesia for what happened, and it's  
9 that kind of event of amnesia that they don't remember  
10 what happened around that time.  
11 Q. They just don't remember what happened  
12 or why they're there?  
13 A. Correct.  
14 Q. It's not selective amnesia, is it?  
15 A. No. Usually it's they block out the  
16 whole thing.  
17 Q. Okay. You don't just pick one part  
18 out and can't remember that part, is it?  
19 A. No, I have not seen that.  
20 Q. They just don't remember what happened  
21 at all?  
22 A. Correct.  
23 Q. And that's usually a closed head  
24 injury?  
25 A. Usually, yes.  
Sandra M. Halsey, CSR, Official Court Reporter  
821

1 Q. Now, did you see any evidence on Ms.  
2 Routier of a closed head injury?  
3 A. No, we did not.  
4 Q. Okay. And the Xanax, what is Xanax?

5 A. Xanax is an anti-anxiety drug that can  
6 be taken to help patients when they have anxiety attacks.

7 Q. Does that mean like when they get  
8 nervous and so forth?

9 A. Yes.

10 Q. And do you prescribe that in these  
11 type of situations?

12 A. No, I do not. I usually do not  
13 prescribe this kind of medication. A lot of patients --  
14 the trauma patients, if they're anxious, usually they  
15 have a reason to be anxious, because they've been  
16 injured, car wreck, they lost a car, lost a loved one, et  
17 cetera, et cetera, and I usually don't prescribe it.

18 Q. Now, in this case Ms. Routier did get  
19 some Xanax prescribed to her; is that right?

20 A. Yes, she did.

21 Q. And while she was in the hospital some  
22 was given to her; is that right?

23 A. I believe it was, yes.

24 Q. Do you recall when that entry was?

25 A. I can look here. I believe she  
Sandra M. Halsey, CSR, Official Court Reporter

822

1 received some that first day. Let me see if I can find  
2 that. I remember -- here it is. 6-6-96 at 16:45, which  
3 is 4:45 in the afternoon, she was given .25 milligrams of  
4 Xanax, given by mouth, to decrease her anxiety.

5 Q. Okay. So, on June 6, 1996, she's  
6 given 2.5 --

7 A. No, .25 milligrams.

8 Q. .25 milligrams of Xanax for anxiety?

9 A. Correct.

10 Q. In fact, that's how they term it in  
11 the list, anxiety. Right?

12 A. Correct.

13 Q. And can you tell the jurors the entry  
14 before that, on 6-6, what time is that entry made?

15 A. 16:00, 4:00 o'clock in the afternoon.

16 Q. Okay. And could you read that entry,  
17 please?

18 A. "Medical examiners and Rowlett P.D.  
19 officer here to photograph the wounds. Procedures  
20 explained to patient. The patient's husband at bedside.  
21 Evidence being collected from both husband and patient."

22 Q. And that's at 6:00 o'clock?

23 A. Right.

24 Q. And then at 6:45 she needs the Xanax  
25 for anxiety?

1 A. Correct.

2 Q. Okay. Now, as far as what you have  
3 described as Ms. Routier, her reaction to the loss of her  
4 children, what you saw, and comparing that to the other  
5 mothers that you've seen, have you ever seen a reaction  
6 like that --

7

8 MR. JOHN HAGLER: Your Honor, we've  
9 been through this. We'll object, again, repetitious and  
10 leading.

11 MR. TOBY L. SHOOK: Well, I think they  
12 brought it up.

13 THE COURT: Hold on just a minute.

14 I'll let him answer the question if he knows the answer.

15 Go ahead.

16 THE WITNESS: I'm sorry, repeat the  
17 question, please.

18

19 BY MR. TOBY L. SHOOK:

20 Q. As far as this flat affect, the way

21 Ms. Routier reacted to the loss of her children, have you  
22 ever seen that reaction in a mother before?

23 A. No, I have not.

24 Q. Okay. Doctor, let me show you what's  
25 been entered in for record purposes as State's Exhibit  
Sandra M. Halsey, CSR, Official Court Reporter  
824

1 31-A, and let me draw your attention to the upper  
2 left-hand corner. Is that a reasonable accurate  
3 representation of how the wound, cross section of the  
4 wound of Ms. Routier's neck wound was?

5 A. Well, let me see. A close  
6 representation, yes.

7 Q. Okay. And that's what we're talking  
8 about, the neck?

9 A. Yes.

10 Q. And again, State's Exhibit 31-B, the  
11 upper right-hand corner, is that also an accurate  
12 representation of, I guess a cross-section you would say  
13 of the neck wound and the injury she received?

14 A. Yes. That's a good representation.

15

16 MR. TOBY L. SHOOK: Then we'll offer  
17 State's Exhibit 31-A and 31-B for all purposes, Judge.

18 THE COURT: Any objection?

19 MR. PRESTON DOUGLASS: No.

20 THE COURT: State's Exhibit 31-A and B  
21 are admitted for all purposes.  
22  
23 (Whereupon, the items  
24 Heretofore mentioned  
25 Were received in evidence  
Sandra M. Halsey, CSR, Official Court Reporter  
825

1 As State's Exhibit No. 31-A  
2 and 31-B for all purposes,  
3 After which time, the  
4 Proceedings were resumed  
5 As follows:)  
6  
7 MR. TOBY SHOOK:: That's all we have,  
8 Judge.  
9 THE COURT: Mr. Douglass, anything?  
10 MR. PRESTON DOUGLASS: Yeah, sure,  
11 Judge, just a few questions.

12  
13  
14 RECROSS EXAMINATION  
15

16 BY MR. PRESTON DOUGLASS:  
17 Q. So, do I understand what you're trying  
18 to say, Dr. Santos, is that no one who is grieving should  
19 have moments of quietness, moments they feel depressed or  
20 moments they should be flat?  
21 A. No, I did not say that.  
22 Q. All right. And isn't it true that an  
23 hour ago or so, I pointed out to you notes of nurses who  
24 wrote down in their notes that they observed her acting  
25 just as you expected her to act; is that right?  
Sandra M. Halsey, CSR, Official Court Reporter  
826

1  
2 MR. TOBY SHOOK:: Judge, we'll object  
3 to asked and answered.  
4 THE COURT: Overruled. Go ahead and  
5 answer the question.  
6 MR. PRESTON DOUGLASS: Thank you,  
7 Judge.  
8 THE COURT: Let's get all of the  
9 questions out and let's get them answered. This  
10 gentlemen has to leave. All right.  
11 MR. PRESTON DOUGLASS: Let me reask  
12 that.  
13

14 BY MR. PRESTON DOUGLASS:

15 Q. The point is, there are at least three  
16 references in the notes where Mrs. Routier acted just  
17 like you would have expected her to act?

18 A. According to the nurses' notes, yes.

19 Q. Well, you trust the nurses, don't you?

20 A. Yes.

21 Q. So the fact that you saw her three  
22 times, but the nurses who were there with her and  
23 watching her closely noticed she was frightened, she was  
24 tearful, she was anxious, she was emotional and upset.  
25 That's exactly what you expect, isn't it?

Sandra M. Halsey, CSR, Official Court Reporter  
827

1 A. Yes.

2 Q. All right. And you're not trying to  
3 tell this jury that the three visits that you made to her  
4 is the sum total of this lady's reaction to this trauma?

5 A. That was the sum total of my  
6 impression

7 Q. It's based on three visits?

8 A. Correct.

9 Q. Duration of those visits, Doctor?

10 A. Five to 10 minutes.

11 Q. Okay. So, the opinions you made that  
12 this lady doesn't act like any mother you have ever seen  
13 is based on 15 minutes of contact with this lady?

14 A. Approximately, yes.

15 Q. Okay. In fairness to this lady, do  
16 you think that's fair?

17

18 MR. TOBY SHOOK: Judge, I'll object to  
19 that, that calls for speculation.

20 THE COURT: I'll sustain that  
21 objection. Go ahead.

22 MR. PRESTON DOUGLASS: I'm sorry, that  
23 should be sustained. I take that back, I apologize.

24 They're telling me to stop. I'll pass  
25 the witness.

Sandra M. Halsey, CSR, Official Court Reporter  
828

1 THE COURT: Thank you. Either side  
2 have any further questions?

3 MR. TOBY SHOOK: Nothing further,  
4 Judge.

5 THE COURT: Thank you very much,  
6 Doctor.

7 MR. TOBY SHOOK: May this witness be

8 excused?

9 THE COURT: Do both sides agree?

10 MR. DOUGLAS MULDER: Subject to our  
11 recall.

12 THE COURT: All right. Ladies and

13 gentlemen, that will conclude the testimony for today.

14 If everybody will please calm down

15 over there, we will excuse you until tomorrow morning at

16 9:00 o'clock. Regardless of what you hear on the radio.

17 This court will be here tomorrow morning at 9:00 o'clock.

18 Thank you very much. See you then.

19 All members of the audience will just

20 sit tight or stand tight, please, until the jury leaves

21 the Courthouse.

22

23

24 (Whereupon, the jury

25 Was excused from the

Sandra M. Halsey, CSR, Official Court Reporter

829

1 Courtroom, and the

2 Proceedings were held

3 In the presence of the

4 Defendant, with his

5 Attorney, but outside

6 The presence of jury

7 As follows:)

8

9 THE COURT: All right. Both sides, by

10 agreement, Mr. Scott has a camera and wants to take some

11 pictures. I'm going to let him up here, so if y'all want

12 your picture taken, they're going to smile nice. As soon

13 as they get out -- the jury clears, and the audience

14 clears, bring him up.

15

16 (Whereupon, the

17 proceedings were

18 recessed for the day,

19 to be resumed the

20 following day,

21 January 9, 1997,

22 In open court, as

23 Follows:)